

0001

1 UNITED STATES DISTRICT COURT
 2 FOR THE WESTERN DISTRICT OF TEXAS
 3 SAN ANTONIO DIVISION
 4 AQUIFER GUARDIANS IN URBAN)
 AREAS, and TEXANS UNITING)
 5 FOR REFORM AND FREEDOM,)
)
 6 Plaintiffs,) Civil No. SA-08-CA-0154-FB
)
 7 v.)
)
 8 US FEDERAL HIGHWAY)
 ADMINISTRATION, AMADEO)
 9 SAENZ, JR., Executive)
 Director, Texas Department)
 10 of Transportation, and)
 TERRY BRECHTEL, Executive)
 Director, Alamo Regional)
 11 Mobility Authority,)
)
 Defendants.)

12

13

14

15

 16 ORAL DEPOSITION OF
 17 GEARY M. SCHINDEL
 18 AUGUST 7, 2008
 19 -----
 20 ORAL DEPOSITION of GEARY M. SCHINDEL, produced as
 21 a witness at the instance of the Plaintiffs and duly
 22 sworn, was taken in the above-styled and numbered cause
 23 on the 7th day of August, 2008, from 11:03 a.m. to
 24 2:48 p.m., before Michele W. Kuhlmann, CSR in and for
 25 the State of Texas, reported by method of machine
 shorthand at the Edwards Aquifer Authority, Inc., 1615
 N. St. Mary's Street, San Antonio, Texas, pursuant to
 the Federal Rules of Civil Procedure and the provisions
 stated on the record or attached hereto.

0002

1 A P P E A R A N C E S
 2
 3 FOR THE PLAINTIFFS:
 MR. WILLIAM G. BUNCH
 4 MR. ANDREW HAWKINS
 SAVE OUR SPRINGS ALLIANCE, INC.
 5 P.O. Box 684881
 Austin, Texas 78768
 6 (512) 477-2320
 7
 8 FOR THE DEFENDANT U.S. FEDERAL HIGHWAY ADMINISTRATION:
 MR. CLAYTON R. DIEDRICHS
 ASSISTANT UNITED STATES ATTORNEY
 9 UNITED STATES ATTORNEY'S OFFICE
 601 N.W. Loop 410, Suite 600
 10 San Antonio, Texas 78216
 (210) 384-7310

11

MR. JACK F. GILBERT
SPECIAL ASSISTANT UNITED STATES ATTORNEY
FEDERAL HIGHWAY ADMINISTRATION
300 East 8th Street, Room 826
Austin, Texas 78701
(512) 536-5917

12

13

14

15

FOR THE DEFENDANT AMADEO SAENZ, JR., EXECUTIVE DIRECTOR
TEXAS DEPARTMENT OF TRANSPORTATION:

16

17

MS. LISA McCLAIN
ASSISTANT ATTORNEY GENERAL-TRANSPORTATION DIVISION
OFFICE OF THE ATTORNEY GENERAL OF TEXAS
P.O. Box 12548
Austin, Texas 78711-2548
(512) 463-2004

18

19

20

FOR THE DEFENDANT TERRY BRECHTEL, EXECUTIVE DIRECTOR
ALAMO REGIONAL MOBILITY AUTHORITY:

21

22

MS. LORI FIXLEY WINLAND
LOCKE LORD BISSELL & LIDDELL, LLP
100 Congress Avenue, Suite 300
Austin, Texas 78701
(512) 305-4718

24

25

0003

1

A P P E A R A N C E S (cont.)

2

3

FOR THE EDWARDS AQUIFER AUTHORITY:

4

MR. HUNTER W. BURKHALTER
KEMP SMITH, LLP
816 Congress Avenue, Suite 1150
Austin, Texas 78701
(512) 320-5466

6

7

ALSO PRESENT:

8

Mr. Adrian Shelley
Mr. John Bryant

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

0004

1	I N D E X	
2		PAGE
3	Appearances.....	2
4	Stipulations.....	6
5	GEARY M. SCHINDEL	
6	Examination by Mr. Bunch.....	6
7	Examination by Mr. Diedrichs.....	97
8	Examination by Ms. McClain.....	103
9	Examination by Ms. Winland.....	114
10	Further Examination by Mr. Bunch.....	115
11	Further Examination by Mr. Diedrichs.....	116
12	Changes and Signature.....	118
13	Reporter's Certificate.....	120

14	EXHIBITS	
15		PAGE
16	NO.	DESCRIPTION
17	1.....	10
18	Curriculum Vitae of Geary M. Schindel, P.G.	
19	2.....	12
20	Administrative Record Document 413	
21	Administrative Record Document 1511	
22	3.....	18
23	6/30/08 Letter from S. Gibson to A. Hawkins	
24	4.....	19
25	5/3/05 Letter from R. Potts to C. Runyon	
0005	5.....	28
1	10/7/05 Letter from R. Potts to R. Garcia	
2	6.....	36
3	Summary of Known Significant Spill Events with Potential to Impact the Edwards Aquifer	
4	7.....	49
5	TxDOT Maps from US 281 Environmental Assessment: Exhibit 18, Public Water Supply Wells and Exhibit 19, Surface Drainage Features and Major Watersheds	

1	EXHIBITS	
2	(cont.)	
3		PAGE
4	NO.	DESCRIPTION
5	8.....	53
6	TxDOT Maps from US 281 Environmental Assessment: Exhibit 16, Inferred Tracer Flow Paths and MTBE Plume Location and Exhibit 17, Water Wells	
7	9.....	63
8	Draft Concept Memorandum for Edwards Aquifer Authority Impervious Cover Rules Dated April 15, 2006	

8 10..... 106
 9 US 281 - Loop 1604 to Borgfeld Road Contacts
 for Agency Coordination Letters
 11..... 109
 10 TxDOT February 6, 2007, Public Hearing
 Citizens Sign-In Sheet
 11 12..... 114
 Not Attached*
 12 13..... 114
 Not Attached*
 13 14..... 109
 14 10/30/06 Record of Conversation -
 Call by Kemble White to John Hoyt
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

25 *Retained by Ms. Lisa McClain

0006

1 (Exhibits 1 through 6 were marked.)
 2 GEARY M. SCHINDEL,
 3 having been first duly sworn, testified as follows:
 4 EXAMINATION
 5 BY MR. BUNCH:
 6 Q. Good morning, Mr. Schindel.
 7 MR. BURKHALTER: Bill, can I make one
 8 quick statement before we start?
 9 MR. BUNCH: Yes.
 10 MR. BURKHALTER: As we read the notice --
 11 Mr. Schindel is here. He's been noticed as Geary
 12 Schindel as an individual and he is here on his behalf
 13 as an individual. He's not here as a representative of
 14 the Edwards Aquifer Authority. Just wanted to get that
 15 on the record.
 16 MR. BUNCH: Okay. That's fair enough.
 17 And are we in agreement that we're
 18 reserving all objections except as to form and
 19 responsiveness? Is that --
 20 MS. McCLAIN: That's fine with me.
 21 MS. WINLAND: That's fine.
 22 MR. BUNCH: -- agreeable?
 23 MR. DIEDRICHS: (Attorney nods head.)
 24 MR. BUNCH: Okay.
 25 MR. HAWKINS: The notice says "Chief

0007

1 Technical Officer". Is Geary not here in his
 2 representative capacity?
 3 MR. BURKHALTER: That is his current job
 4 title.
 5 MR. BUNCH: That's his title.
 6 MR. BURKHALTER: But he was not

7 designated as a representative.
8 MR. BUNCH: Right. Right.
9 MR. BURKHALTER: He has not been chosen
10 by the Authority --
11 MR. BUNCH: Right. Right.
12 MR BURKHALTER: -- to speak on topic X or
13 topic Y as he's here.
14 MR. HAWKINS: Right. Okay.
15 MR BURKHALTER: If he has opinions,
16 they're his own.
17 MR. HAWKINS: Right. Okay.
18 BY MR. BUNCH:
19 Q. Okay. Mr. Schindel, could you just for the
20 record state your full name and where you reside?
21 A. My name is Geary Michael Schindel. I reside in
22 San Antonio, Texas.
23 Q. And --
24 A. Do you want the address?
25 Q. Yes, please.
0008
1 A. 11310 Whisper Dawn, San Antonio, Texas 78230.
2 Q. Okay. You'll have an opportunity to review the
3 transcript from this deposition to make any corrections
4 that you might find and review and affirm.
5 A. Okay.
6 Q. Okay? So that will help us get it to you.
7 How are you employed, Mr. Schindel?
8 A. I am employed by the Edwards Aquifer Authority
9 as the chief technical officer.
10 Q. And what are your responsibilities in that
11 position?
12 A. In general I am responsible for research
13 programs related to the geology and hydrogeology of the
14 Edwards Aquifer.
15 Q. Have you had your deposition taken before?
16 A. Yes.
17 Q. And so you understand that you're under oath
18 just as if you were in the courtroom?
19 A. Correct.
20 Q. And can we get some understanding between
21 ourselves that if I ask a question that's confusing or
22 you're not clear, that you'll ask me to restate it
23 rather than sort of guess at --
24 A. Correct.
25 Q. -- what I am trying to mean?
0009
1 A. Yes.
2 Q. Okay. Thank you.
3 Let's see. When was the most recent time
4 you had your deposition taken?
5 A. A few months ago, two to three months ago.
6 Q. And was that in reference to Edwards Aquifer
7 related to business?
8 A. It was in reference to a lawsuit, I believe, by
9 an environmental group related to the -- a development
10 in northern Medina County.
11 Q. Okay. And you understand generally that you're

12 here today to testify in some way that might be used in
13 a pending lawsuit concerning the U.S. 281 project?

14 A. That's my understanding, yes.

15 Q. Okay. Can you just tell me a little bit more
16 about your understanding of this case?

17 A. I really don't have any understanding of
18 what's -- why -- who is suing who and why.

19 Q. Okay.

20 A. I mean, I've had no involvement other than I
21 have read the -- I guess the -- this is a toll road --

22 Q. Yes.

23 A. -- for 281 that -- you know, there have been
24 some articles in the paper which I've read some months
25 ago and that's really about all I know about it.

0010

1 Q. Okay. Just to give you some context, I'm Bill
2 Bunch. I represent the plaintiffs in a case that was
3 filed in federal court here in San Antonio representing
4 two nonprofit public interest groups, AGUA and TURF as
5 they're known, and our claims are essentially that the
6 Environmental Assessment that was prepared by TxDOT is
7 flawed legally, it's incomplete and in some instances
8 misleading and that under federal law -- TxDOT was
9 required to prepare a full Environmental Impact
10 Statement under federal law.

11 So that's the basic setting of this case
12 and I just want you to understand that we have no
13 interest in bringing the Edwards Aquifer Authority into
14 the case and we only sought your testimony because of
15 your expertise.

16 A. Okay.

17 Q. Is that fair?

18 A. That's fair.

19 Q. Okay. Thank you.

20 Can you identify what has been marked by
21 the court reporter as Exhibit 1?

22 A. Let's see here. That is my resume. It was
23 updated. That was provided at the request of our
24 IT -- I understand it's a request that you-all had.

25 Q. Okay.

0011

1 A. Yeah.

2 Q. And is that a current and accurate
3 representation of your professional qualifications --

4 A. I believe, yes.

5 Q. -- and experience?

6 A. Yeah.

7 Q. Okay. You have a master's degree from Western
8 Kentucky University --

9 A. Correct.

10 Q. -- is that correct?

11 A. Uh-huh.

12 Q. And my understanding is George Veni also
13 attended that institution. Were you in school with him?

14 A. He was a year behind me.

15 Q. Okay. You know Mr. Veni?

16 A. Dr. Veni. Yes, I do.

17 Q. Dr. Veni, yes.

18 Okay. And you've done some research
19 together with him. Is that correct?

20 A. Correct. And I also serve on the board of
21 directors for the National Cave and Karst Research
22 Institute, which he is the general manager/executive
23 director for. So I'm a board member of that
24 institution, yes.

25 Q. Okay. But you're not professionally employed
0012 together with him?

1 A. No, I am not. We have historically in the past
2 at the Edwards Aquifer contracted with Dr. Veni to do --
3 basically to provide assistance and consulting services
4 with the Edwards Aquifer Authority.

5 Q. Okay. And --

6 A. So I have a professional relationship with him.

7 Q. Right. And did you-all do that because you
8 generally consider Dr. Veni to be competent in karst
9 hydrogeology?

10 A. He's a nationally recognized expert in the
11 field, yes.

12 Q. Okay. Can I have you look at what's been
13 marked as Schindel Deposition Exhibit 2. And if you'll
14 just ignore the document numbers and just read the two
15 sheets that actually have some real information on them.

16 A. Okay.

17 MR. BUNCH: And just for the record, this
18 is the Administrative Record Document 413 combined with
19 Document 1511.

20 A. Okay. It's a long time ago. I don't even
21 remember that meeting, but I assume that there was one.
22 BY MR. BUNCH

23 Q. Well, that was my next question.

24 A. Yeah.

0013 1 Q. Did you attend the May, 2001, meeting that's
2 referenced in that document?

3 A. You know, my business card is here and I can't
4 imagine how they would have gotten my business card if I
5 hadn't provided it so it implies -- I don't recall it,
6 but I assume I was there.

7 Q. But you don't remember the meeting?

8 A. No, I -- right offhand I do not.

9 Q. Okay. Would you have any memory of who may
10 have been at that meeting for TxDOT?

11 A. The meeting here, this email that's attached to
12 it at the front would indicate that Barrlynn West was
13 there.

14 Q. And --

15 A. The other three folks, you know, I don't really
16 recall.

17 Q. You know Barrlynn West?

18 A. Yes, I do.

19 Q. Is that a man or a woman?

20 A. A man.

21 Q. Okay. Have you had any subsequent meetings

22 with TxDOT concerning either U.S. 281 or Loop 1604?

23 A. I don't recall any. Actually, let me -- you
24 know, I'm thinking back about this, refreshing my
25 memory. Give me a minute.

0014

1 Q. Sure. Take a few minutes if you need to.

2 A. You know, I do remember having a discussion
3 but I don't remember exactly who it was -- but it was
4 in the early days when I was here related to the
5 application of certain herbicides and pesticides over
6 the recharge zone, and I'm wondering if this actually
7 may be that meeting. But according to this email it
8 says that it was -- yeah, that's -- well, that's right
9 I'm sure. Yeah.

10 Q. Well, the agenda does reference item 1D --

11 A. Yeah.

12 Q. -- as herbicide and pesticide --

13 A. Use, that's right. I do remember now
14 discussing that. But to be honest with you, my
15 recollection of that meeting is very fuzzy.

16 Q. Okay. Is herbicide and pesticide use over the
17 aquifer recharge zone a concern for you?

18 A. Yes.

19 Q. Okay. Can you just explain that, please?

20 A. Herbicides and pesticides can be washed into
21 the aquifer during rain events. The application of
22 them would imply that you have a concentrated solution
23 somewhere and -- probably on the truck that you're
24 spraying the herbicide or pesticide with -- and
25 therefore would pose a spill hazard also.

0015

1 Q. And so your concern at this time was related to
2 use of herbicides and pesticides by TxDOT along their
3 right-of-ways?

4 A. Correct.

5 MR BURKHALTER: Do you mean in 2008 or in
6 2001?

7 MR. BUNCH: In 2001.

8 A. In 2001?

9 BY MR. BUNCH:

10 Q. Yes.

11 A. That's correct. And if I remember right, I
12 have observed herbicide/pesticide spraying on the
13 recharge zone by the Texas Department of Transportation.

14 Q. Do you know if that practice is continuing
15 today?

16 A. I have seen applications of pesticides on other
17 roads. I do not know whether the application is still
18 occurring on 1604 or the other roads that cross the
19 recharge zone.

20 Q. Okay. Are you generally familiar with the
21 section of U.S. 281 from Loop 1604 going north to
22 Borgfeld Road?

23 A. Yes, I am.

24 Q. Okay. And is that stretch essentially entirely
25 over the recharge zone for the Edwards Aquifer?

0016

1 A. No, part of that road is actually over the
2 contributing zone also.

3 Q. Would that be a northern portion of it?

4 A. Correct. The Borgfeld Road area, I think,
5 technically is over the contributing zone. The drainage
6 area for the Cibolo Creek is considered the recharge
7 zone. And between Borgfeld Road and 1604 there's an
8 area where there's some faulting and the geologic maps
9 indicate that that is part of the contributing zone.

10 Q. Okay. Looking again at the meeting agenda,
11 does it -- do you recall -- at the bottom it mentions
12 specifically coordination of information and
13 notification concerning U.S. 281 upgrade?

14 A. Yeah. I don't remember the specific
15 discussions that were made of that.

16 Q. Okay. Do you remember any further concerns
17 that you may have expressed about the transport or
18 trapping of hazardous materials along 281?

19 A. I know that that has been a concern with most
20 of, you know, the transportation corridors that traverse
21 either -- you know, parallel to or perpendicular to the
22 recharge zone so I would suspect that we probably
23 discussed that.

24 Q. Okay.

25 A. And I know that this was, I think,
0017

1 predevelopment of the city or the county's hazardous
2 materials transport plan, which has since banned the
3 transport of hazardous materials on 1604 except for
4 local delivery I believe.

5 Q. Okay. But 281 continues to be a hazardous
6 materials corridor. Is that correct?

7 A. That's my understanding, yes.

8 Q. Okay. Are you aware of any efforts by TxDOT
9 or by anyone else that maybe seeking to change that
10 designation of 281?

11 A. I'm not aware of it, no.

12 Q. Okay. Do you remember if there was anyone else
13 from the Edwards Aquifer Authority besides yourself and
14 Robin Tremallo in the May, 2001, meeting with TxDOT?

15 A. I don't believe so. I really don't recall.

16 Q. Okay. Do you remember any kind of follow-up
17 that may have taken place to this meeting?

18 A. I don't recall any, no.

19 Q. Okay. If there had been some follow-up to
20 the meeting from TxDOT to the Authority considering
21 technical issues related to the aquifer, would you have
22 known about that?

23 A. I believe I would have, but again, you know,
24 that was six, seven years ago and to be honest with you,
25 I probably don't recall.

0018

1 Q. Okay.

2 A. There may very well have been some follow-up
3 but I don't recall.

4 Q. Okay. If I could show you what's been marked
5 as Schindel Deposition Exhibit 3, which is an Edwards

6 Aquifer Authority letter from Shaun Gibson attached to a
7 open records request that we sent in to your -- to the
8 Authority.

9 A. Okay.

10 Q. Do you know Shaun Gibson?

11 A. Yes, I do.

12 Q. And who is that?

13 A. He is our Information Technology coordinator.

14 See -- Information Technology, yeah, he's the program
15 manager.

16 Q. Okay. And did he or somebody contact you to
17 ask if you would have any information in your files
18 responsive to this information request?

19 A. Yes.

20 Q. And you didn't have any?

21 A. I did not find it.

22 Q. Okay. And you do recognize this, a letter on
23 Authority letterhead and --

24 A. Correct.

25 Q. -- and Mr. Gibson's signature?

0019

1 A. Correct.

2 Q. Okay. If I could show you what's been marked
3 as Schindel Deposition Exhibit 4 and ask you to take a
4 minute to look at that.

5 MR BURKHALTER: Bill, give us a second to
6 get organized. It looks like I have got three copies of
7 the same thing, and I bet they do too.

8 MR. BUNCH: Oh, I screwed that up then.

9 MR. BURKHALTER: That's okay.

10 MR. BUNCH: Sorry.

11 This is the May 3rd, 2005, letter.

12 MR. BURKHALTER: May 3. Okay. Thank

13 you.

14 This is 4?

15 THE REPORTER: Yes.

16 MR. BURKHALTER: Go ahead. Thanks.

17 A. Okay.

18 BY MR. BUNCH

19 Q. Mr. Schindel, do you recognize that letter?

20 A. I have not seen it before.

21 Q. Okay. Do you recognize Mr. Potts' signature as
22 general manager for the Authority?

23 A. I would assume that letter is correct and that
24 it was produced by our staff and submitted to Mr. Potts
25 for signing.

0020

1 Q. Okay. And is it standard or regular practice
2 of the Edwards Aquifer Authority to file comments on
3 applications that are filed with the TCEQ for water
4 pollution abatement plans?

5 A. My understanding is that we receive copies of
6 all the WPAP's or projects within our jurisdiction. We
7 review those and those -- and some of those we comment
8 on.

9 Q. Okay.

10 A. I don't think we comment on every one.

11 Q. All right. And are you generally familiar with
12 the TCEQ's Edwards Aquifer rules?

13 A. I'm certainly no expert on them. I'm aware
14 that a geologic assessment needs to be performed and
15 some other aspects, but I do not perform them and have
16 not performed them. So I'm familiar that there are
17 requirements, yes.

18 Q. Okay. And this is -- some of your staff that
19 works for you performs those reviews?

20 A. Well, they actually work for the Edwards
21 Aquifer Authority. They're not my direct reports.

22 Q. Okay.

23 A. Yeah.

24 Q. But they are your technical folks --

25 A. Well, they are --

0021

1 Q. -- dealing with water quality issues?

2 A. Well, they are within the aquifer management
3 program, and their job basically is to review WPAP's and
4 to do inspections is my understanding.

5 Q. Okay.

6 A. But again, I see those people in the office but
7 I don't work directly with them --

8 Q. Okay.

9 A. -- unless there's a particular technical issue.

10 Q. Okay. And in this letter the EAA is
11 recommending that TxDOT provide hazardous material traps
12 along U.S. 281 at the major stream crossings.

13 A. Okay.

14 Q. Were you generally familiar that --

15 A. Yes.

16 Q. -- those are the kind of recommendations you
17 might make?

18 A. Correct. And I understand that DOT has
19 installed hazardous materials traps on those locations.

20 Q. On which?

21 A. I believe it's Interstate 1604 on the recharge
22 zone.

23 Q. Okay. Do you know if they've done that on 281?

24 A. I do not know.

25 Q. Okay. So you don't know if these

0022

1 recommendations that were made were accepted or
2 rejected --

3 A. I do not.

4 Q. -- by TxDOT or TCEQ?

5 A. I don't have any knowledge of that.

6 Q. Okay. Do you know if there's been any recent
7 application by TxDOT for water pollution abatement
8 plans --

9 A. I do not know.

10 Q. -- that you're reviewing at this time?

11 A. No. I don't review water pollution abatement
12 plans.

13 Q. Okay.

14 A. That would be done by someone else in our
15 staff.

16 Q. Okay. And you're not routinely given --

17 A. No.

18 Q. -- notice that those would --

19 A. No.

20 Q. -- be coming through?

21 A. I'm not involved in that in the day-to-day
22 process.

23 Again, occasionally I will get involved
24 because they have a technical question related to a
25 karst feature or a karst issue or a groundwater issue.

0023

1 Q. Okay. Why -- do you have a general
2 understanding of why you would be recommending or the
3 EAA would be recommending hazardous material traps along
4 281?

5 A. Well, that area would be over the recharge
6 zone. The recharge zone itself is considered sensitive
7 to the introduction of contaminants into the aquifer
8 through that area.

9 Q. Okay. And can you just generally explain why
10 it would be more sensitive than some other location?

11 A. You want the short answer or the long answer?

12 Q. How about the medium answer?

13 A. Okay. We'll skip first the earth cooled.

14 Q. Right.

15 A. The Edwards Aquifer is considered a karst
16 aquifer and the recharge zone is considered a karst
17 terrain or topography -- and it's spelled K-A-R-S-T --
18 and those are usually characterized as a terrain or
19 topography where the presence of sinkholes and sinking
20 streams -- which is characterized by the presence of
21 sinkholes, sinking streams, deranged surface topography,
22 a well-integrated subsurface drainage network and
23 springs. Okay? In essence, you have what's called
24 convergent groundwater flow. You have rapid movement of
25 groundwater in these areas through secondary fractures

0024

1 and enlarged features enlarged by dissolution processes
2 so there's virtually no filtration that occurs of water
3 and water can move very rapidly and that the flow paths
4 are difficult to determine.

5 Q. Okay. And as it relates to hazardous
6 materials -- if I can try to translate, and you can say
7 "yes" or "no" -- there's a concern that if there's a
8 spill it can move into the aquifer and travel very
9 quickly to a water well, for example?

10 A. That's correct.

11 Q. Okay.

12 A. Yeah.

13 Q. And so it's important to capture that or retard
14 that movement as quickly as possible. Is that correct?

15 A. There is and there are also strategies for how
16 to deal with a spill on those terrains that would
17 minimize the potential impact of groundwater so the
18 answer is yes.

19 Q. Okay. So what would the strategies be?

20 A. Generally, don't spill anything and if you do

21 spill something only spill good things and if you don't
22 spill good things then retain them.

23 Q. Would that be beer?

24 A. Water would probably be the only appropriate
25 substance that would be appropriate for spilling.

0025

1 Q. Okay.

2 A. And that you try and contain the spill that --
3 as quickly as possible, that you not try and dilute out
4 the spill by flushing with water into the aquifer, that
5 you deal with the public safety issue.

6 You have two issues with a spill. One
7 would be what I call an acute hazard associated with,
8 let's say, fire or explosion or whatever, toxicity. And
9 of course, you want to deal with the acute public hazard
10 or public health issue, keeping people from playing in
11 the spill or whatever people do, working around it
12 without proper safety precautions and equipment. And
13 then the second hazard is a more chronic hazard
14 associated with a migration of this material into a
15 public water supply.

16 We have measured groundwater velocities
17 in the recharge zone just to the west of 281 at rates of
18 hundreds to thousands of feet per day, up to a mile per
19 day.

20 Q. And was some of that research measuring that
21 rate of flow research that you did with Dr. Veni?

22 A. Correct. We basically designed the research.
23 We basically directed Dr. Veni's crew to do much of the
24 technical work, the field work -- going out collecting
25 samples, identifying potential locations to collect

0026

1 samples -- and then we also trained them in how to use
2 our laboratory instrument and then we basically
3 authorized their -- them to proceed with tracer testing.

4 And I think we did three different phases
5 involving two or three dyes to each phase in what's
6 called Panther Creek drainage basin, which would be
7 about a mile to the west of 281.

8 Q. Can you just describe sort of the basic concept
9 of a dye tracing study?

10 A. Dye is a contaminant and dyes can have lots of
11 different properties. We choose dyes that are shown to
12 not be toxic or carcinogenic or creating any sort of
13 health hazard. As a matter of fact, the dyes we use are
14 generally approved for inclusions in medicine, in food,
15 drugs, cosmetics and that. And we inject those dyes
16 into areas, either into sinkholes or sinking streams or
17 caves or occasionally in the water wells, and then we
18 monitor for those dyes in as many locations as we can.

19 We have an instrument that can detect
20 those dyes in extremely small concentrations. We
21 collect water samples or we'll collect what are called
22 the dye receptor, which is an activated charcoal packet
23 or some other material that will absorb dye. Then we
24 extract that in our laboratory and we analyze it.

25 The dyes themselves will give you a flow

0027

1 path indication of relationship between a particular
2 injection point and a recovery point. It will give you
3 a time of travel if you collect that data correctly. It
4 will give you a concentration of the dye, which
5 sometimes can be used if the proper -- with the proper
6 techniques to act as a surrogate for a contaminant so
7 that you can proportionately increase the concentrations
8 to determine whether a contaminant may or may not have
9 an impact on a particular receptor.

10 Dye trace, or what technically is called
11 tracer testing, is probably the most powerful tool that
12 karst hydrologists have in looking at karst aquifers.

13 Q. And why -- what are the advantages of dye
14 tracing tests in karst aquifers compared to other types
15 of --

16 A. Well tests or -- yeah.

17 Q. -- trying to determine flow paths?

18 A. Hydrologists use lots of different tools,
19 hydrogeologists use lots of different tools, everything
20 from evaluation of individual rock specimens,
21 observations of rock outcrops, the testing of water
22 wells to determine what the physical properties of a
23 well are. Because the karst aquifers are -- have
24 extreme heterogeneity you may see that the physical
25 properties that you measure in the rock at one location

0028

1 may not be transferable to a location even just a few
2 feet away.

3 So these are generally called triple
4 permeability, triple porosity aquifers and so the best
5 way to test regional scale questions is by doing tracer
6 testing because it will test the fabric of the aquifer
7 over a large area versus a water well that, depending on
8 how you run the test, may only test the characteristics
9 of the well bore or may test the characteristics of the
10 area right around the well, which may be only hundreds
11 of feet at most.

12 Q. Okay. And do they -- are they particularly
13 important also because it's very hard to predict the
14 flow paths in karst terrains?

15 A. Correct. You can get some generalization by
16 developing what are called potentiometric surface maps
17 from wells, but the most reliable method, the one that's
18 accepted by most karst hydrogeologists -- and I
19 emphasize karst hydrogeologists -- is the use of tracer
20 testing.

21 Q. Okay. I'll probably come back to that issue a
22 little bit more a little later.

23 Let me just ask you about what's been
24 marked as Schindel Deposition Exhibit 5, which is an
25 October 7, 2005, letter to TCEQ.

0029

1 A. Okay.

2 Q. Is that similar to the previous letter I asked
3 you about wherein the EAA was providing comments on an
4 application for WPAP filed by TxDOT for Loop 1604?

5 A. Yeah. It appears to be consistent, yes.

6 Q. Okay. And I believe you said earlier you
7 thought that TxDOT had in fact installed a hazardous
8 material trap or some --

9 A. In my travels along 1604 I have observed what
10 I think are some hazardous materials traps located near
11 the interstate, yes.

12 Q. Okay. You haven't inspected those yourself?

13 A. No.

14 Q. You just noticed driving by --

15 A. Right.

16 Q. -- that there's some containment facilities?

17 A. Yeah, a containment facility associated with
18 what appears to be either runoff or spill containment.

19 Q. Okay.

20 A. Yeah.

21 Q. Do you know anything about TxDOT's maintenance
22 practices concerning those facilities?

23 A. I do not.

24 Q. Okay. Can I just back up with you? And just
25 briefly state: What is the Edwards Aquifer Authority?

0030

1 A. It is the regional -- what is it -- a
2 governmental subdivision of the State of Texas that's
3 responsible for protecting both water quality and
4 quantity of the Edwards Aquifer.

5 Q. Okay. And does that mission include preserving
6 recharge to the aquifer?

7 A. My understanding is is that it gives us full
8 powers to do -- to protect the Edwards Aquifer, yes.

9 Q. Okay. And has the EAA adopted some rules in
10 pursuit of this mission?

11 A. My understanding is yes.

12 Q. Okay.

13 A. Right.

14 Q. And can you just describe generally what those
15 rules do?

16 A. The rules that I'm aware of -- and there may
17 be others -- generally ban the installation of new
18 underground storage tanks and places requirements on the
19 upgrading of existing underground storage tanks that
20 contain hazardous materials in the recharge zone. In
21 addition we have programs that deal with abandoned wells
22 and new well construction.

23 There may be some other programs that are
24 starting up that I'm not familiar with the -- you know,
25 the details on.

0031

1 Q. Okay. The Edwards' rules -- or the Edwards
2 Aquifer Authority's rules don't require removing
3 preexisting underground storage tanks. Is that correct?

4 A. My understanding is that those were
5 grandfathered in and would be allowed to exist but they
6 have to be upgraded to meet tertiary containment within
7 a certain time period.

8 Q. Okay. And then there's a whole other set of
9 rules that govern managing pumping of the aquifer --

10 A. Correct.

11 Q. -- so that the aquifer's not depleted --

12 A. Correct.

13 Q. -- of water. Is that correct?

14 A. That's right.

15 Q. And is depletion, overpumping of the water, a
16 concern for this region?

17 A. It has been, and my understanding is that
18 is why the legislature created the Edwards Aquifer
19 Authority.

20 Q. Okay.

21 A. One of the primary reasons they did.

22 Q. And is that challenge to protect the aquifer
23 against overpumping becoming greater?

24 A. No. Actually, the legislature capped the
25 amount of water that can be withdrawn from the aquifer

0032

1 and so there are no new permits being issued for
2 additional water. All of the water has been allocated.
3 And so there are certainly issues related to how best to
4 manage those permits during periods of drought and that.

5 Q. Uh-huh.

6 A. But the actual total amount of water that can
7 be removed from the aquifer has been capped.

8 Q. Okay. As growth continues in the San Antonio
9 region, though, does that create some greater stress on
10 the aquifer?

11 A. Right now most of the permits are not being
12 fully utilized. Much of that is dependent upon the
13 amount of rainfall that occurs in any given year and so
14 during dry years we see more water being pumped. We
15 have not seen a total use of all of the water that's
16 been allocated yet, but that will occur as growth
17 occurs.

18 Q. Okay. And is there also a concern on behalf of
19 the Authority about pollution from development over the
20 recharge zone?

21 A. Yes, there is.

22 Q. And can you just briefly describe that set of
23 concerns.

24 A. Well, actually those are concerns that the
25 board has expressed, and to be honest with you, I would

0033

1 recommend that you discuss those with the general
2 manager because I'm not always privy to those
3 discussions and the motions. I am, but I'm just -- you
4 know, she would be able to speak on them more
5 knowledgeably than I would.

6 Q. Well, as a technical person, though, what would
7 be your concerns?

8 A. About development over the recharge zone?

9 Q. Right.

10 A. Okay. Well, we see degradation of water
11 quality in the aquifer through our data. That's
12 directly related to -- many of those contaminant sources
13 are associated with human activities. We see those in
14 the aquifer. So development on the aquifer does impact

15 water quality and will continue to do so as long as
16 development's on the aquifer.

17 Q. And what kind -- what pollutant constituents
18 are you seeing that appear to be related to human
19 activities over the aquifer?

20 A. We see elevated levels of nitrates in the
21 aquifer, many of them related to the urban environment
22 more than the agricultural environment. It is not clear
23 what the exact source of those nitrates are. It could
24 very well be urban landscape application. It could be
25 urban --

0034

1 Q. Fertilizers on lawns --

2 A. Right.

3 Q. -- and golf courses?

4 A. Exactly, fertilizers on lawns and golf courses.
5 The application of -- or, I'm sorry, the
6 use of septic systems in the aquifer. It could be
7 from the leaking sewage -- waste from sewer lines. And
8 then nitrates, fertilizers, are also used extensively in
9 the quarry industry for blasting rocks. You mix it with
10 diesel fuel and ammonium nitrate and you blow things up
11 with it. So it's a very common, very inexpensive
12 explosive used in quarrying operations.

13 We have seen certain organic solvents
14 that are associated with degreasers or paint thinners or
15 parts cleaners or dry cleaning operations. We've seen
16 small amounts of those in many wells in the Edwards.
17 And we have some wells which -- private domestic wells
18 which have exceeded the recommended drinking water
19 standard for a public water supply.

20 We have a national priority list, CERCLA,
21 or Superfund site now designated, which has shown --
22 which was the result of the detection of these
23 contaminants in the aquifer. We have one other national
24 priority list, NPL, site over the Edwards. It has not
25 shown to have impacted the Edwards yet.

0035

1 We see trace amounts of herbicides and
2 pesticides in some wells. We do not seem to have
3 problems with heavy metal contamination yet.

4 Q. And is the likely source of the pesticides and
5 herbicides also lawn and golf course-type maintenance
6 activities?

7 A. Well, it's difficult to say what the exact
8 source is other than they seem to be more prevalent in
9 the urban area than in the agricultural areas for a
10 couple reasons probably, and one is that most of the
11 agricultural production in the Edwards Aquifer region
12 actually occurs over the Artesian zone because there are
13 sufficient soils there to grow crops.

14 The detection of herbicides and
15 pesticides in the urban areas may or may not be -- well,
16 are probably most likely related to application of
17 herbicides and pesticides by homeowners. There may be
18 some issues related to golf courses and that, but that's
19 not clear. There hasn't been enough research done.

20 I will tell you that my experience has
21 shown that most -- or many people who use herbicides and
22 pesticides misapply them. They don't follow the label
23 and they either overapply them or apply them incorrectly
24 in relation to the label. Most of the commercial
25 operators that I know of use herbicides and pesticides

0036

1 and fertilizers very carefully because they're a major
2 expense and they also usually have applicator licenses
3 and they usually follow those more closely than your
4 average homeowner.

5 Q. Okay. Are there other pollutant constituents
6 that you're aware of that have been detected in the
7 aquifer that are of concern?

8 A. We have seen traces of naphthalene, which is
9 associated with diesel fuel. We have seen wells
10 associated with the upper Glen Rose and lower Glen Rose
11 formations, which are contributing to the Edwards, in
12 particular a location at Borgfeld Road and 281, where a
13 spill occurred of gasoline and that material entered --
14 very rapidly entered into the groundwater system there
15 and contaminated a number of private water supply wells
16 and the direction of the plume was towards Cibolo Creek,
17 which is considered part of the recharge zone for the
18 Edwards. We did not detect that material in the Edwards
19 Aquifer from that incident.

20 Q. To follow up on that a little bit, if I could
21 show you what's been marked as Schindel Deposition
22 Exhibit No. 6 --

23 A. Right.

24 Q. -- and ask you if you recognize that document
25 that has written on the top the number 3?

0037

1 A. Do you know the date this was produced?

2 Q. I can represent to you that I got it from open
3 records requests from the Edwards Aquifer Authority just
4 in the last few days.

5 A. Okay. I am familiar with -- I'm familiar with
6 most of these incidences. What I was giving you was
7 sort of a synopsis of these.

8 Q. Yeah. Does one of those entries on this
9 document reference that Borgfeld contamination --

10 A. I'm not sure.

11 Q. -- problem?

12 A. Let me see if it was here.

13 Northern Bexar County, Borgfeld Road and
14 281, yes. Edwards Aquifer drainage area, correct.

15 Q. It's sort of the middle of the second page?

16 A. Correct.

17 Q. Pardon me.

18 A. Yes. This is the diesel fuel spill I was
19 telling you about that occurred at a facility that was
20 mixing explosives in a quarrying operation.

21 Q. And you're pointing there to the last
22 paragraph --

23 A. Yes, Southern Comal County --

24 Q. -- on page 2?

25 A. Yeah. It says, "Southern Comal County near
0038

1 Krueger Canyon Road and Highway 1863, Edwards Aquifer
2 Recharge Zone".

3 Leon Valley Road is the PCE
4 contamination, which is now an NPL site. Evans Road/281
5 is the sewage release. We didn't discuss that,
6 actually. Hollywood Park is another sewage release.
7 Helotes is the fire that occurred and then the PCE
8 spill in Uvalde County, which was one of the first large
9 contamination incidences we had and is still present in
10 the aquifer.

11 Q. And that occurred how many years ago?

12 A. 1982.

13 Q. Uh-huh.

14 A. And then the West Avenue landfill and Blanco
15 Road area.

16 Q. So even though at least parts of the aquifer
17 flow very quickly, if you have a contamination event you
18 can still see those over many years?

19 A. Right. A lot of it depends on the
20 characteristics of the spill, the nature of the
21 material. It is a very complicated process. Some of
22 the areas, especially if they're down in the -- near
23 what I'll call the shallow Artesian portion of the
24 aquifer may very well hold onto and retain contaminants,
25 and we see that with our data where we sample wells and
0039

1 we see continued and persistent detections of certain
2 parameters like PCE.

3 Q. Okay.

4 A. So it implies that there is a source somewhere
5 bleeding into the system --

6 Q. Okay.

7 A. -- or that the material stored in the matrix is
8 diffusing out.

9 Q. And you get pulses of it if there's a big rain
10 event or --

11 A. May very well.

12 Q. -- the aquifer goes up real high or something
13 like that?

14 A. Those are all very location specific and
15 contaminant specific, again depending on many factors:
16 The partitioning coefficients of the material; whether
17 it's hydrophobic or not -- you know, how much will mix
18 into the water; whether it's -- happens to have
19 intersected one of these what we call fast flow areas or
20 conduit areas or whether it's caught in the intraconduit
21 areas and the matrix and again being slowly released.

22 So it's very complicated. The dyes
23 will -- when we tie this back into tracer testing and
24 that, some of our dyes indicate very rapid groundwater
25 velocities with very, very long tails, very sharp
0040

1 arrival peaks. It's an asymmetrical graph where we see
2 break-through curves of these dyes. The dyes probably
3 mimic fairly well what a contaminant might look like and

4 then you see very, very long tails of concentrations of
5 this material, sometimes for months if not years.

6 Q. Okay. Could I ask you about this -- let's see.
7 There's a couple of these I wanted to ask you about.
8 The Evans Road/Highway 281, December of 2005 --

9 A. Correct.

10 Q. -- event --

11 A. Okay.

12 Q. -- was that -- are you generally familiar with
13 that event?

14 A. I worked on that project.

15 Q. Okay. And that occurred as a result of some
16 initial construction on 281. Is that correct?

17 A. That's my understanding.

18 Q. Okay.

19 A. A sewer line was damaged, it wasn't probably
20 recognized immediately and the spill contained, if I
21 remember right, for almost a month.

22 Q. Okay. But 5,000 gallons over a whole month,
23 that seems not to be an enormous amount compared to --

24 A. Yeah.

25 Q. One of these other events, I think,

0041

1 mentioned -- the next one says 50,000.

2 A. Right.

3 Q. Do you know --

4 A. Well, it's very difficult to estimate the
5 amount of material that's lost. As a matter of fact, my
6 understanding is that this memo is -- or this
7 document is not correct that reports 50,000 gallons.
8 My understanding is the most recent estimate is 120,000
9 gallons for the Hollywood Park spill. So 5,000 gallons
10 may be plus or minus a hundred percent --

11 Q. Okay.

12 A. -- probably or more. It's very difficult to
13 tell, estimate, the amount of material coming down the
14 pipe, how much was lost, how much bypassed and went on
15 down past the pipe, how much went in the ground, how
16 much was cleaned up.

17 Q. Do you recall if -- did TxDOT notify you of
18 this spill, the Evans Road spill?

19 A. I do not recall who initially notified the
20 Edwards first.

21 Q. Okay.

22 A. Yeah.

23 Q. You did go out and inspect the location
24 yourself?

25 A. Actually, I did not. I did not go out to the

0042

1 spill. Our involvement was after the fact, and my
2 involvement was making recommendations for sampling
3 locations and coordinating sampling to determine whether
4 that material had gotten into the aquifer.

5 Q. Okay. And does this paragraph sort of
6 accurately describe what you were able to find out and
7 not find out?

8 A. Yes, that's generally correct. We sampled a

9 number of wells. It says 17 here, and that sounds about
10 right. We did have a well that had a high bacteria
11 count. We do not know why. And we went back to
12 reevaluate that and were not allowed access onto the
13 property. That's correct.

14 Q. Okay. Concerning the Hollywood Park incident,
15 that's the one you said the current estimate now is
16 120,000 gallon spill?

17 A. That's my understanding, was that was when the
18 department -- I'm sorry -- the TCEQ was looking at
19 working through the -- I guess they developed a fine and
20 some other -- there were -- I guess there were
21 regulatory proceedings against the San Antonio Water
22 System, who operated the line.

23 Q. The sewer line that failed?

24 A. The sewer line that failed.

25 And my understanding was, yes, it was

0043

1 actually up to about 120,000 gallons of sewage.

2 Q. Okay. And do you know the cause of the
3 failure?

4 A. My understanding is a pipe broke, the pipe was
5 related to a force pressure main, the sewer line drains
6 the area north of 1604, basically services the area
7 north of 1604, and that the sewer line came down the
8 creek and reached the edge of -- I think it was Village
9 of Hollywood Park, but I'm not positive. Yeah, it was
10 Hollywood Park. And they did not have permission to run
11 that sewer line down through Hollywood Park so they
12 installed a force pressure main, pumped the sewage
13 uphill to 1604, ran that sewer line parallel to 1604
14 until it intersected 281 and then ran the sewer line
15 south of 1604 all along 281 until it became a gravity
16 flow system.

17 That pipe broke under pressure, and my
18 understanding is it spilled sewage for a number of days,
19 if I remember right about three days, before the
20 material -- before the spill was detected.

21 Q. And was the -- did it just fail out of poor
22 construction, or was there some event of heavy equipment
23 hitting it or something?

24 A. My understanding is is that it was a failure
25 related to the actual pipe itself, a manufacturing

0044

1 defect possibly.

2 Q. Okay.

3 A. I did not inspect the pipe and really didn't
4 want to.

5 Q. Your summary then notes that the area was
6 flushed with potable water?

7 A. Correct.

8 Q. Did that create some concerns on your part?

9 A. Yes. The general recommendation -- now, my
10 background in dealing with spills in karst terrains
11 dates back to my experience as a consultant and also as
12 a -- the manager of the groundwater branch for the State
13 of Kentucky, and the state of Kentucky is -- about

14 50 percent of the entire landscape for the state is
15 karst, and we were constantly dealing with spill issues
16 in the state and we had developed some recommendations
17 based on our understanding of how karst aquifers behave
18 and how these -- and the concern associated with rapid
19 groundwater velocities or movements that these spills
20 try to be contained and dealt with on the surface.

21 I think the concern that people have with
22 sewage is that it may very well harbor pathogens which
23 can cause disease. Anything that you can do to keep the
24 public from being exposed to that is important, and so
25 the general rule of thumb that some people use for

0045

1 dealing with a lot of these spills is to avoid the
2 contact the public may have directly on the surface.
3 And so you do that by either trying to neutralize the
4 material and/or flush it down the creek where you dilute
5 it out. I think that technique is -- does not take into
6 consideration the vulnerability of the karst aquifers,
7 that literally thousands of gallons a minute were used
8 to flush the dye -- I'm sorry -- to flush the sewage
9 down the creek bed where there was an attempt to try and
10 collect it with septic -- or with vacuum trucks.

11 My understanding is is that concerning
12 the volume of waste that was spilled and the volume of
13 material that was flushed down the creek bed they did
14 not recover the entire volume and that much of the
15 material probably went into the aquifer -- went into the
16 soil, went into the Edwards limestone that's exposed
17 there at the surface. This is the same technique that
18 we use for flushing our dyes into the aquifer. I have
19 no reason to believe that this material didn't reach the
20 aquifer.

21 Q. But you didn't see it showing up and actually
22 polluting any --

23 A. No. We --

24 Q. -- public water supply wells. Is that correct?

25 A. That's correct. We did an extensive -- a very

0046

1 extensive sampling program involved with every single
2 well that we possibly could find in what we thought was
3 a reasonable area. Our area of concentration was a
4 series of wells operated by Bexar Metropolitan Water
5 District located along Bitters -- at the intersection of
6 Bitters and 281. That -- those wells basically serviced
7 the Stone Oak area. We collected numerous samples from
8 that site and ran them.

9 The problem was that the -- it was a
10 concern because to test for bacteria one requires that
11 you pull the sample and then you incubate it for 24
12 hours and then you read the results. And the concern
13 was that the material could be in the well for up to 24
14 hours or longer, people could be drinking it without
15 knowledge.

16 The contamination -- or the chlorination
17 processes on the well usually handle low levels of
18 contaminants quite well, but generally when you have a

19 very large loading like what occurred with a system like
20 this -- a spill like this, then that material could get
21 in the well. Our dye tracing data that we had collected
22 to -- in the drainage basin directly west of this spill
23 site indicated the potential for extremely rapid
24 groundwater velocities in the area. We have measured
25 groundwater velocities over 10,000 feet per day there,

0047

1 therefore, there would be sufficient time for that
2 material to get into a well.

3 Because of the -- we did not -- our
4 sampling program went on for almost a month. We never
5 did see high concentrations of bacteria in any of the
6 wells. I would suspect it's for the same reason that
7 we -- when we did the dye tracing we saw the dye only in
8 a few select wells, not necessarily wells that were
9 associated with large pumping centers like these wells.
10 And again it goes right back to the issue with
11 groundwater flow in karst terrains. These things are
12 convergent, they basically are found in specific
13 conduits or flow paths, and if your well doesn't happen
14 to intersect one of those then you wouldn't recover that
15 material.

16 So I have -- my professional opinion is
17 no doubt the material went into the aquifer. It just
18 didn't happen to be intersected by one of the wells.
19 And we didn't know that until we tested it.

20 Q. Right. So basically we got lucky on that one.
21 Is that --

22 A. I think we --

23 Q. -- a fair summary?

24 A. I think we got very, very lucky. That material
25 could have gone into the well in a matter of a day. It

0048

1 could have been distributed out through the system and
2 could have resulted in a very widespread case of
3 basically pathogens, you know, in the water supply
4 system for the area around Stone Oak.

5 Q. So SAWS did not stop using that well for a
6 period of time --

7 A. Well --

8 Q. -- during that high risk period?

9 A. Actually, the well wasn't operated by SAWS.
10 It's operated by Bexar Metropolitan Water District.

11 Q. Oh.

12 A. And so the folks who were responsible for the
13 spill was the San Antonio Water System. The well that
14 was most likely to be impacted was -- in my opinion was
15 the Bexar Met well. Bexar Met could not shut that well
16 down because it was -- that well field, of which there
17 are a couple wells there, could not be shut down without
18 basically resulting in a loss of service to those areas
19 so, therefore, your mains would go dry and you wouldn't
20 have fire protection and you wouldn't have any drinking
21 water.

22 Q. Okay. Let me --

23 MR. BUNCH: Could you mark that?

24 (Exhibit 7 was marked.)

25 BY MR. BUNCH

0049

1 Q. I don't have extra copies of this, but it's
2 Exhibit 18 from the U.S. 281 Environmental Assessment
3 and it's been marked as Schindel Deposition Exhibit 7
4 and the legend indicates it shows public water supply
5 wells. Does that show the well fields that you were
6 referencing just now that you were concerned about that
7 Bexar Met operated?

8 A. No, it does not.

9 Q. Can you take my pen and mark roughly where
10 those wells are?

11 A. They're probably down -- using this scale of
12 the map, they're --

13 Q. So they're south of 16 --

14 A. They're south, down in -- let's say, in this
15 area (indicating).

16 Q. Okay. Another what, mile or so?

17 A. Yeah, they're about two miles --

18 Q. Two miles south of 1604?

19 A. Right.

20 Q. Okay. Are you generally familiar with the
21 public water supply wells that are shown on this map?

22 A. My understanding is that -- well, actually I
23 would say, no, I am not. As far as I know -- let me see
24 what the direction is here.

25 You know, the problem with this map is

0050

1 the scale and there are not enough cultural features to
2 be able to really observe those locations. I think that
3 these three wells are located -- or associated with the
4 Cadillac water supply. To be honest with you, I don't
5 know. I'd have to look at a different -- better map.

6 Q. Okay. Because this map doesn't show the street
7 grid, right?

8 A. Correct. And in addition -- or topography.

9 And the other thing is is I don't know
10 if these are public water wells or not. I would be
11 surprised if that's the case. These round circles imply
12 water wells, but I would differentiate between a public
13 water supply well and a private or domestic well and I'm
14 not sure that that does that. Correct.

15 Q. Well, there's -- I can just show you what's
16 Exhibit 17 from the Environmental Assessment --

17 A. Okay.

18 Q. -- that I think includes the private wells.

19 A. It just says -- it doesn't define. It just
20 says "wells".

21 I guess why I would say that is that
22 generally we find that south of -- that there are very
23 few, if any, public water supply wells that serve the
24 Edwards -- that utilize the Edwards Aquifer for
25 providing water supply. The furthest north wells that

0051

1 you generally see that are used as public water supplies
2 are generally south of 1604. And so I think while there

3 are lots of domestic wells and this certainly implies
4 that, I -- you know, I'm not sure that --

5 Q. So you're not familiar with what are shown on
6 Exhibit 18, Schindel Exhibit 7, the public water supply
7 wells?

8 A. Yeah, I'm not sure that they are.

9 Q. Okay.

10 A. They may be, but to be honest with you ...

11 Q. You're not familiar with those?

12 A. I couldn't name the individual wells that you
13 see there.

14 Q. Okay.

15 A. I would look at these and say I would suspect
16 that you have a mixture of public and private.

17 Q. Okay.

18 A. But I'd have to look at those very carefully.
19 Some of these wells may be serving small subdivisions
20 that are actually in the Trinity.

21 Q. Okay.

22 A. Let me see this one here.

23 I would assume that this map was produced
24 in color; and that may be part of the problem, is that
25 it's hard to discern the nuances in a black and white

0052

1 copy.

2 Q. All right.

3 A. You know, I find that a little difficult to
4 read.

5 Q. I think you're right. The original is a color
6 map --

7 A. Okay.

8 Q. -- that makes it easier to interpret.

9 Besides the WPAP's that we've shown --

10 A. I'm sorry. Can I go back to this map too?

11 Q. Sure.

12 A. I think that the other thing I was looking --
13 the other issue I have with the map, I think, is that it
14 defines Trinity and the Edwards Aquifer as different
15 colors. It maybe should have been labeled "Edwards
16 Aquifer Recharge Zone" and "Edwards Aquifer Contributing
17 Zone". And the reason I say that is that the Trinity
18 Aquifer itself underlies the Edwards and that some of
19 the wells that are drilled in this area may be drilled
20 through the upper units of the Edwards limestone and
21 completed in the lower units of the Trinity Aquifer.
22 And so I would not -- I'm not sure that I think that's a
23 very clear representation.

24 Q. Okay. Fair enough.

25 A. And then the other part of that is that, you

0053

1 know, our dye tracing data indicates that these two
2 aquifers have -- many people have supposed that both
3 these aquifers are distinct units and that there is no
4 communication between those systems, and our dye tracing
5 has shown that that's clearly not the case in northern
6 Bexar County.

7 MR. BUNCH: Okay. Can I get this one

8 marked Exhibit 8?

9 (Exhibit 8 was marked.)

10 BY MR. BUNCH

11 Q. Okay. Schindel Deposition Exhibit 8 is a map
12 also from the TxDOT's U.S. 281 Environmental Assessment,
13 Exhibit 16. It's labeled "Inferred Tracer Flow Paths
14 and MTBE Plume Location". If you could take a minute to
15 look at that and see if that reflects some of you-all's
16 research about a particular spill event.

17 A. Yeah.

18 This map is not correct.

19 Q. Okay. How is it not correct?

20 A. This appears to actually utilize our tracer
21 testing data. The tracer testing data shows inferred
22 flow paths south from injection points that we utilized
23 to the north, and it also has a triangle area in the
24 legend that says "Tracer detection" and it shows about
25 20 places where it indicates that the tracing agents

0054

1 were detected and those are incorrect.

2 Those probably should have been
3 monitoring locations. The tracers were detected in just
4 a few wells, which are indicated by the inferred tracer
5 flow path arrows, and I would have to look at our data
6 to make sure that these are actually correct.

7 Q. Okay.

8 A. I also do not see a MTBE plume location, and
9 that again may be a factor of a coloration issue or it
10 just wasn't included on the map.

11 Q. Okay.

12 A. But I think that what that would be referring
13 to is the Borgfeld Road and 281 spill incident. The
14 MTBE boundary, which is -- I'm surprised they also
15 didn't include the benzene because benzene's a much
16 greater hazard than MTBE is I believe.

17 Q. Okay. Is it correct to the extent that it
18 suggests that the flow paths were generally north to
19 south?

20 A. Correct. Our dye tracing data shows that.

21 Q. Okay. And was that expected or unexpected, or
22 you just really didn't know where it might go?

23 A. The general consensus is that the dye traces --
24 I'm sorry -- the groundwater flow in the recharge zone
25 in the Edwards Aquifer would be influenced by large

0055

1 faults. Now, the map that you've shown me does not
2 indicate the geology, the underlying geology of the
3 presence of faults. That was the conventional wisdom.
4 It has been reported in documents prepared by the U.S.
5 Geological Survey. However, that assumption was made on
6 the basis that if the stratigraphic -- if the thickness
7 of the Edwards limestone is offset by more than
8 50 percent that it would become a groundwater barrier.

9 I'm not -- I have never seen any
10 supporting data for that so we would call that a SWAG,
11 basically a scientific wild ass guess, and our interest
12 at that point was to try and test those theories. We

13 have very detailed geologic maps in the area so we
14 injected dyes. The conventional wisdom was that the dye
15 would move to the west as it intersected some of these
16 large faults, would probably flow through the northern
17 part of the Bexar County west towards Medina County,
18 move back around underneath San Antonio where it would
19 flow and discharge ultimately at Comal Springs around a
20 water well.

21 The interesting -- the groundwater model
22 that we -- developed by the U.S. Geological Survey has
23 shown that the actual particle tracking process in that
24 model would indicate that the groundwater flowed to the
25 east at about a mile per year.

0056

1 Our tracer testing data, which is
2 empirical data which can be reproduced and has been
3 reproduced -- some of that has been reproduced --
4 indicates the groundwater flows from the north to the
5 south perpendicular to these large faults. So the
6 faults play very little role in what appears to be
7 deflecting groundwater movement on a regional basis and
8 flows due south at about -- anywhere from 80 feet, if I
9 recall, to about -- to more than 10,000 feet per day.

10 Q. So is it a fair sort of -- at least one piece
11 of the information you gave us, to summarize that, is
12 that that rapid southward flow was somewhat of a
13 surprise?

14 A. Not to me, no.

15 Q. But it was at conflict with the fault lines.
16 Is that correct?

17 A. It was at conflict with some of the other data
18 that had been generated based on -- well, the other --

19 Q. Predicting that it would flow to the west?

20 A. Or to the east.

21 Q. Or to the east.

22 A. Correct.

23 Q. I'm sorry.

24 A. And with the tracer testing data, you know, and
25 being karsted, our -- we had mapped the potentiometric

0057

1 surface in that area and it indicated that the
2 potentiometric surface direction of flow would be to the
3 south.

4 Q. Okay.

5 A. And so while I don't think we could predict
6 accurately which well we thought it would occur, we
7 certainly selected all of the wells that we could find
8 in that area to sample.

9 Q. Okay. Do we have any dye tracing data from the
10 281 corridor from 1604 up to Borgfeld Road?

11 A. There's been none that's been produced to date
12 that I am aware of.

13 Q. Is there any reason why it couldn't be done?

14 A. It's a question of time and money and
15 expertise. Dye tracing is -- some people call it an
16 arcane or black art. Before we came down here -- there
17 had been a few attempts to try and do dye tracing in the

18 Edwards before I moved down here. All of them, I
19 understand, were unsuccessful with the exception of one
20 that was done real close to Comal Springs. We were
21 told -- I was told that it would not work, that it's
22 been tried.

23 But part of the problem is that it is a
24 very difficult place to work for tracer testing. It is
25 one of the more difficult places in the U.S. Very few
0058

1 people have the expertise to do it and do it well, and
2 so, again, depending on -- who you would hire to do the
3 work would depend probably a lot on the outcome of --
4 the success of it. You know, there are folks who
5 specialize in this, in tracer testing. That is what I
6 did before I came down here. And those people would
7 probably not have a great problem with obtaining
8 results.

9 If you hired someone who has never done
10 dye tracing, again, it would probably be kind of like
11 having, let's say, a capital murder trial and hiring a
12 lawyer who just got out of law school and just passed
13 the bar. You'd probably want somebody with a little
14 experience representing you.

15 Q. Okay.

16 A. Yeah.

17 Q. So help me understand what might be sort of
18 perceived as some confusion in your testimony. I think
19 earlier you said dye tracing was the most powerful
20 tool --

21 A. Correct.

22 Q. -- by far.

23 A. But not the only tool.

24 Q. But now you're suggesting it's sort of arcane
25 or a black art or maybe it's not --

0059

1 A. It's --

2 Q. -- reliable?

3 A. Well, no. No, I did not say that.

4 Q. Okay.

5 A. Let me clarify.

6 Q. So help me understand those two points.

7 A. It is an expertise that's usually acquired
8 through study with someone who has that expertise.
9 Okay? So by many traditional hydrologist's tracer
10 testing is not taught at any of the undergraduate or
11 graduate levels. In addition the subspecialty of karst
12 hydrology, which is a subspecialty of hydrology,
13 generally is not taught. I'm not aware of a single
14 class -- a specific class taught at the university level
15 in, let's say, a spring or fall semester setting, that
16 deals specifically with karst hydrology. To get that
17 expertise, that formal education, one normally needs to
18 go outside of the university or college setting in Texas
19 to obtain or study under a particular person who deals a
20 lot with tracer testing.

21 Q. For karst --

22 A. For karst hydrology.

23 Q. -- aquifers?

24 A. Well, for tracer testing in general. And most
25 of the tracer testing done in the U.S. that's being done

0060

1 in karst aquifers is being done by a small group of
2 people who have developed the expertise to do it.

3 If you asked an average person who has
4 a background -- an undergraduate or graduate degree in
5 hydrology, chances are they probably are not aware of
6 the techniques and don't understand it. And that's one
7 of the reasons why it hasn't been applied in Texas very
8 well, is because there's very few people in Texas with
9 that expertise to do it well.

10 Q. Okay.

11 A. So it is a very standard, very traditional
12 tool. There have literally been thousands of tracer
13 tests done in the U.S. and in Europe dating back into
14 the late 1800s. The dyes that we're using are nothing
15 new. The techniques themselves are not really that
16 difficult if you have experience and understand.

17 For example, if you didn't design your
18 study well, if you went in here and said, "I'm only
19 going to select one well," chances are you would select
20 incorrectly and select the wrong well and then you would
21 get no results and therefore you would do the dye
22 tracing and say, "Look, it doesn't work." In reality
23 it's a flawed design, and that -- being able to design a
24 good study comes with experience in that.

25 Q. Okay. And to do one, if you have the right

0061

1 expertise to do it --

2 A. Uh-huh.

3 Q. -- properly, the results are reliable?

4 A. Yes, and repeatable.

5 Q. And is it particularly expensive so you can't
6 do them very often or is it --

7 A. They're --

8 Q. -- not so much?

9 A. They're not cheap, you know, to do one well,
10 you know.

11 Q. What would be a ballpark figure?

12 A. You could spend fifty to a hundred thousand
13 dollars to do one, to do a series of tests. The tests
14 in northern Bexar County probably cost us -- I'd have to
15 go back and look at our budgets but around fifty or
16 sixty thousand dollars.

17 Q. Okay.

18 A. Maybe a little bit more.

19 Q. Do we already have a good handle on where the
20 flow paths are in the 281 corridor, or do we not really
21 know?

22 A. No, we don't. There's nothing unique about
23 the area that we chose to do tracer testing in northern
24 Bexar County, the work there at Panther Creek. The
25 reason we selected that area was just because there was

0062

1 a lot of wells available. There doesn't appear to be

2 anything unique geologically about it so I suspect that
3 the results that we would see would be that groundwater
4 may move very rapid -- would probably move very rapidly
5 through the recharge zone into the Artesian zone from
6 north to south except with the area where you get around
7 the northern area, the study area here marked on the
8 map, where the flow may be actually towards Cibolo
9 Creek. You know, there's probably a groundwater divide
10 in there somewhere.

11 Q. Okay.

12 MR. BURKHALTER: Bill, it's 12:20. We've
13 been going for about an hour and twenty.

14 MR. BUNCH: Yeah.

15 MR. BURKHALTER: I'm doing fine but --

16 MR. BUNCH: No. This is a good place.
17 We can take a lunch break and come back. That sounds
18 good to me.

19 MR. BURKHALTER: Okay.

20 MR. BUNCH: Is that okay with you?

21 THE WITNESS: Yeah. Super.

22 (Break from 12:21 p.m. to 1:31 p.m.)

23 (Exhibit 9 was marked.)

24 BY MR. BUNCH

25 Q. We're back on the record, Mr. Schindel, and I

0063

1 want to ask you to start off after lunch here and see if
2 you recognize what's been marked as Schindel Exhibit 9.

3 A. (Witness reviews document.)

4 I'm sorry?

5 Q. Do you recognize that document?

6 A. I believe I've reviewed that document in the
7 past.

8 I'm sorry. Let me back up.

9 Q. Was that prepared by the Edwards Aquifer staff?

10 A. To be honest with you, it looks to me -- I
11 really don't know.

12 Q. Okay.

13 A. To be honest with you, I don't know. There's
14 language in here that probably I wrote that was probably
15 pulled out of old documents. That's why I looked at it
16 and I said -- you know, and I may have reviewed this as
17 a draft, but this -- but I did not prepare this
18 document, no.

19 Q. Okay. I printed it off the EAA website --

20 A. Okay. Yeah.

21 Q. -- this morning.

22 A. Okay.

23 Q. But you don't recognize it, or you do?

24 A. Well, I think I have reviewed this a number of
25 years ago. This is April, 2006.

0064

1 Q. Right.

2 A. And there's obviously some verbiage in here
3 that I probably wrote this and then it was pulled out
4 and put --

5 Q. Which?

6 A. -- in the document.

7 Q. Which?

8 A. The second paragraph on karst aquifers, I mean,
9 that's almost verbatim from what I just told you
10 earlier.

11 Q. Okay.

12 A. And that's some verbiage I commonly use that I
13 wrote many years ago. But I did not prepare the
14 document. I think I reviewed it in its draft form. But
15 to be honest with you, I couldn't --

16 Q. Was this prepared by the EAA staff for the
17 board?

18 A. I believe it was. It looks like it was, yes.

19 Q. Okay. Do you know if the board has taken any
20 action to limit impervious cover over the aquifer
21 recharge zone?

22 A. They've discussed the issue. To be honest with
23 you, I'm not aware of any action they've taken to date.

24 Q. Okay.

25 A. This is more a regulatory document, and you

0065

1 know, I deal with the science side, doing research and
2 that so -- I'm aware of some of these things. I do not
3 review them like I used to many years ago and so many
4 times these documents are prepared I haven't, you know,
5 necessarily been in the formal review process.

6 Q. Okay. Would you agree, though, that it's
7 important to limit impervious cover to -- as an
8 important technique for protecting the aquifer?

9 A. It is certainly a technique to try and minimize
10 the impact of development. There are people who have
11 used impervious cover as a strategy to try and protect
12 water quality, yes.

13 Q. Does that also help protect recharge to the
14 aquifer if you limit impervious cover?

15 A. There's some debate about that issue. Some
16 people will argue with you that having more impervious
17 surface actually improves recharge rather than decreases
18 it because you remove the evapotranspiration, the
19 transpiration part of the equation, so you don't have
20 any trees and grass that are taking the water up and
21 transpiring it so all you have is evaporation.

22 So some people would claim that actually
23 increasing impervious cover will increase the amount of
24 recharge. The problem with it is is if you allow other
25 activities to occur on the impervious surface you may

0066

1 very well decrease water quality. So in a perfect world
2 you would -- and you know, recharge --

3 Q. Isn't pavement also paving over recharge
4 features?

5 A. In cases, yes. Yeah, they can be. But there
6 other -- there's -- you know, the recharge zone itself
7 is a recharge feature and what doesn't go in one
8 sinkhole may be available to go into another. Again,
9 if you paved it all off and then channelized all of the
10 water to go off of the recharge zone, then certainly you
11 could decrease the recharge. But in theory, you know,

12 impervious cover actually collects the water, and then
13 at that point how you manage it may either increase or
14 decrease recharge.

15 Q. Okay.

16 A. You could manage it either way. That's a --
17 this is all theoretical.

18 Q. Okay. Are you -- do you know who on your staff
19 prepared this document?

20 A. I would suspect that it was done by Mr. John
21 Hoyt.

22 Q. Okay. He deals with more of the --

23 A. Regulatory side, yeah.

24 Q. Regulatory meaning --

25 A. Development of the regulations.

0067

1 Q. -- regulation of potential polluting
2 activities?

3 A. Right.

4 Q. Okay.

5 A. Right.

6 Q. All right. Would you have any -- do you have
7 any professional disagreements with -- to the extent
8 you're aware of this document?

9 A. I'd have to spend a few minutes to read it, but
10 I would suspect that most of it's based on the research,
11 you know, that's been done by our staff.

12 Q. Okay. Are you generally aware that the Edwards
13 Aquifer rules do not limit impervious cover?

14 A. That's my understanding currently. The --
15 well, I'm sorry. Back up. The Edwards Aquifer
16 Authority rules do not.

17 Q. Right.

18 A. Now, my understanding is is that there are
19 rules through TCEQ on -- you know, the 213 rules, that
20 if you have a certain level of development with a
21 certain level of impervious cover that you need to
22 measure to mitigate that.

23 Q. That triggers higher protection measure
24 requirements?

25 A. Correct.

0068

1 Q. But it doesn't say you actually cannot build
2 additional impervious coverage?

3 A. That's my understanding, but again, I'm not an
4 expert on the TCEQ 213 rules.

5 Q. Okay.

6 A. Yeah.

7 Q. Do you know if any of the other governmental
8 entities that have jurisdiction over the Edwards Aquifer
9 watershed have rules limiting impervious cover?

10 A. I'm not aware of any.

11 Q. Okay.

12 A. But there are a number of different regulatory
13 agencies out there, you know, that deal with either all
14 or parts of the Edwards.

15 Q. Okay. Are you aware of the state
16 grandfathering statute that provides some limits on

17 local jurisdictions in their efforts to manage
18 development?

19 A. I've heard of it, but I'm not again --

20 Q. You're not informed of how that works?

21 A. I wouldn't call myself really informed about
22 the process, no.

23 Q. Okay.

24 A. There are certainly other folks who know a lot
25 more about that in this agency.

0069

1 Q. I noticed on your resume you list a
2 co-authorship with Dr. Veni of a GIS paper or exercise
3 related to trying to identify priority protection areas
4 for endangered karst dwelling invertebrates?

5 A. Actually I think that paper dealt on a
6 peripheral basis with that. I believe it was actually
7 more related to using the GIS process to try and
8 prioritize procurement of properties for protecting
9 recharge of which one of the components was endangered
10 species, but there were some other components there too.

11 Q. Okay.

12 A. I've written a lot of papers and I don't recall
13 every single one of them.

14 Q. Let me see if I can just put my finger on it.

15 A. It would probably be one of the more recent
16 ones.

17 Q. (Attorney indicates.)

18 A. Yeah, this is for karst area protection
19 purchase and protection, and this was really a
20 spinoff -- an outgrowth of the Prop 1/Prop 3 process
21 where myself, George, Kurt Nixon and some others here
22 worked on a process to try and develop a GIS program
23 that would allow us to prioritize purchase of properties
24 by the Prop 1 and the Prop 3 program.

25 Q. And do you recall if that exercise identified

0070

1 any priority protection areas in the 281 corridor?

2 A. How wide would the corridor be?

3 Q. Well, say five miles either side.

4 A. I believe that, yes, there certainly were areas
5 that were listed as higher or lower priority --

6 Q. Okay.

7 A. -- within that area. Yes, certainly.

8 Q. Okay.

9 A. I'm almost certain there would be.

10 Q. Does the EAA typically work with other
11 government agencies when they need some information
12 about what their activities might mean for the Edwards
13 Aquifer?

14 A. We do. We do.

15 Q. Okay. And if TxDOT had asked your agency to
16 comment on the draft Environmental Assessment, would you
17 have done that?

18 A. That would be a decision by the general
19 manager, but I think we are commonly asked to -- you
20 know, to review and/or give input on development of
21 various local and regional planning activities.

22 Q. So that would be something typical that you
23 would do?

24 A. Yeah. I wouldn't say it would be untypical at
25 all.

0071

1 Q. And if they had further engaged you to help
2 them with studies of potential impacts, would you --
3 your staff have been willing to do that?

4 A. Yes. Now, again, what you're talking about,
5 policy issues, are related to the general manager and it
6 would be her or his prerogative whether we would do that
7 or not.

8 We certainly have -- for example, we
9 have an interlocal agreement with a number of different
10 agencies that cooperate on various activities. We have
11 one right now that we've just passed with Kinney County.
12 And we're going to be dye tracing in Kinney County,
13 which is outside of our jurisdictional area, but we're
14 interested in some groundwater issues that may impact
15 the Edwards over there and so we're working with them on
16 a cooperative basis.

17 Q. And is that just pure research, or are you also
18 helping identify mitigation or protection measures that
19 might be --

20 A. No, it's just basic research. It's looking at
21 groundwater flow paths and groundwater velocities. And
22 then that data would be used to help us refine our
23 groundwater model, our groundwater -- our area of
24 recharge, and contributing of -- and refining the
25 contributing area. I'm sorry. And then it would help

0072

1 us close or better refine our water balance, or water
2 coming in and water going out of the Edwards, and then
3 that data would probably be useful for the groundwater
4 conservation district there.

5 Q. Okay. But in other contexts, you know, such as
6 the comments on the WPAP applications, you would offer
7 some expertise in recommending mitigation strategies,
8 for example?

9 A. I think we have done that in the past on a
10 number of different levels. I know that we are very
11 interested in doing tracer testing. We have worked with
12 other agencies and other groundwater districts, our
13 sister agencies on either side of us, to perform these
14 kinds of studies. We've done them for universities as
15 demonstration projects. In relation to cooperation with
16 other agencies, I know that we have acted as an advisor
17 to Texas -- TCEQ for environmental incidences like the
18 releases of sewage, the Helotes fire and others.

19 Q. Okay.

20 A. So we work very cooperatively with most of
21 those agencies.

22 Q. And you-all have recently developed a spill
23 response plan --

24 A. Correct.

25 Q. -- is that correct?

0073

1 A. Uh-huh.

2 Q. And what instigated that exercise?

3 A. That was developed in another program so I have
4 been given a copy of it but have not reviewed that in
5 detail, was not asked to review or comment on it in
6 detail and have not done so. But the -- I assume that
7 it probably is a result of the -- some of the spills
8 that we've had, you know, in the area, environmental
9 incidences.

10 Q. And perhaps a perception that there wasn't a
11 good spill response plan in place from some other
12 agency?

13 A. Well, you know, I haven't reviewed the other
14 agencies' spill response plans so, you know, I would not
15 know whether those are not -- whether those are in place
16 and if so have they been followed.

17 Part of the problem is is that each
18 spill or incident has its own unique characteristics.
19 You know, I think our intent was that the unique
20 nature of the Edwards being extremely vulnerable to
21 contamination would be taken into consideration and that
22 the techniques that you would apply to a spill, let's
23 say, over another aquifer type that may not be nearly as
24 vulnerable may not be applicable to the Edwards, you
25 know, could result in actually a much worse problem than

0074

1 what you were trying to solve.

2 Q. And that's mainly this idea we mentioned
3 briefly earlier you're washing the pollutants into the
4 aquifer --

5 A. Yeah.

6 Q. -- in the process of trying to put out a fire,
7 for example?

8 A. Yeah. Or, you know, with a sewage issue it
9 would be a contact -- you know, trying to get the sewage
10 away from where it may come into contact with people
11 and --

12 Q. Pushing it into the aquifer?

13 A. Yeah.

14 And so what you're doing is trading one
15 potential hazard for maybe a much greater hazard. You
16 know, it's a lot easier to control, you know, an
17 incident on the surface by excluding people from it and
18 then cleaning it up than it might be to flush it into an
19 aquifer and not knowing where it's going to come out or
20 when it's going to come out or who it's going to impact.

21 Q. Right.

22 A. So that's, you know, probably not a very good
23 trade.

24 Q. So we've discussed these two incidents where
25 sewer lines along 281 or 1604 have broken.

0075

1 A. Uh-huh.

2 Q. Is there a good map that shows where all these
3 sewer lines are in these highway corridors?

4 A. I would suspect that the facilities that
5 maintain those would be the ones who would have the best

6 maps.

7 Q. Okay.

8 A. I think that we have received some maps
9 internally, but we're not the ones who update, keep
10 those up to standards and that so ...

11 Q. Okay. Wouldn't that be a sort of first step in
12 trying to make sure these accidents don't happen again,
13 identifying where these facilities are located?

14 A. That may be one area where you would want to
15 approach, yes.

16 Q. Okay. Dr. Veni had provided an affidavit for
17 us in a previous iteration of this litigation and had
18 expressed opinion about the difficulty of assessing the
19 significance of a recharge feature to recharge purely by
20 a surface inspection.

21 A. That's correct, and I'm in agreement.

22 Q. Are you generally familiar with that concern?

23 A. Yes, and I've expressed that myself.

24 Q. Okay.

25 A. Yes, it's a concern.

0076

1 Q. Can you just sort of explain that a little bit?

2 A. There is, again, a lack of -- sometimes a lack
3 of clear understanding about how these karst terrains
4 function and the understanding of what a karst terrain
5 is. It is not uncommon for people to look at a sinkhole
6 and say, "See, there's a karst." In reality a karst is
7 a terrain or a topography and a sinkhole wouldn't be a
8 karst. A sinkhole would be within a karst landscape or
9 terrain.

10 And really it comes down to a lack of
11 education, a lack of training, a lack of experience in
12 identifying them. Many people don't recognize them.
13 They may -- they don't understand how they necessarily
14 interconnect with the groundwater system. Or the
15 philosophy is that I have observed and delineated all of
16 the sinkholes and so, therefore, we have mitigated
17 concern we have about a sinkhole being a recharge
18 feature so we have taken certain mitigation standards.
19 In reality the sinkholes indicate that they -- that the
20 terrain is vulnerable but it's not the only source of
21 potential contamination, the only mechanism for
22 transmittal of a contaminant from the surface into the
23 groundwater.

24 We have done dye tracing where we have
25 gone into areas in between sinkholes, have injected

0077

1 dye -- or basically placed dye on the ground, flushed it
2 in with a garden hose over some period of time -- and
3 we have seen that that material has moved
4 very quickly through the subsurface and into water
5 wells.

6 So the mind-set sometimes is that we can
7 delineate a sinkhole and as long as we protect the
8 sinkhole the water supply is safe and you can do
9 whatever you want in between these what are called
10 intra-sinkhole areas, and in reality that's not true.

11 Q. Okay. So let me see if I can try to put this
12 concept in layperson standards a little bit.

13 If you're out on the recharge zone,
14 that's the karst terrain.

15 A. Correct.

16 Q. So the whole landscape basically.

17 A. Correct.

18 Q. And maybe you don't even see any big sinkholes
19 that are obvious conduits.

20 A. Even small sinkholes.

21 Q. Or even -- yeah. Maybe it looks like it's not
22 a recharge location.

23 A. Right.

24 Q. But if you brought a fire hose out there and
25 shot it out there, it would still disappear. Is that --

0078

1 A. Yeah.

2 Q. Is that a --

3 A. Yeah. The water --

4 Q. -- fair characterization?

5 A. Yes. The water wouldn't run off of -- or if
6 you were to, let's say, mimic a sewer line -- and we
7 know that sewer lines leak because they allow water in
8 when it rains, they allow sewage out when it's not
9 raining -- a sewer line leaks. So you could have a
10 small leak and -- or a relatively moderate leak, and
11 unless that leak actually bubbles to the surface like
12 occasionally occurs with a force pressure main, that
13 material is going in the aquifer. So the --

14 You could do the same thing with a tanker
15 truck by just opening the valve up and letting it run
16 out at 10 or 15 gallons a minute. And it wouldn't run
17 off. It would run a little distance and probably sink
18 into the ground.

19 Q. So if you have a geotechnical assessment like
20 is required for the Edwards rules and the inspector did
21 a perfectly professional job and said, "Oh, you know,
22 here's a feature but it looks small," and so they
23 declare it insignificant --

24 A. Right.

25 Q. -- that doesn't really give you a level of

0079

1 certainty that nevertheless it might provide for
2 significant recharge. Is that correct?

3 MR. BURKHALTER: Bill, clarify what you
4 mean about -- you said as required by the Edwards rules.
5 BY MR. BUNCH:

6 Q. The TCEQ Edwards rules.

7 A. Right.

8 You can't necessarily judge a -- the
9 significance of a karst feature by its surface
10 expression. For example, one of three caves in the
11 entire county that is called Genesis Cave, it was
12 located on the edge of what most people would consider a
13 very obscure and insignificant depression. And it was
14 dug out by some cavers and the cave is 250 some feet
15 deep and is one of three caves you can see -- actually

16 get into and observe the water table in the Edwards
17 limestone. If you'd looked at that, you would probably
18 consider that a very insignificant karst feature.

19 Those are probably very common. And
20 so any -- you know, we -- the development of the
21 vulnerability map related to -- or, excuse me, of the
22 map that was used to support the GIS process for Prop 1
23 and Prop 3, the scientist who developed it realized
24 there's a lot of limitations to that map because we
25 assume that we know all of the sinkholes and we know all
0080

1 of the caves and we know all of the fractures and we
2 know all of the faults and we don't. And so it's the
3 best estimate that you can get as far as vulnerability
4 is concerned.

5 Some people are splitters. They like to
6 assign a value to a particular feature and then overlay
7 those on different layers to create an overall
8 vulnerability. I'm more of a lumper and, you know, my
9 philosophy on that is any part of it that is in the
10 recharge zone, any part of it that is part of the
11 carbonate -- the limestone system in the Edwards is
12 vulnerable, and trying to split hairs to talk about, you
13 know, degrees of vulnerability is really kind of a
14 futile attempt. It's all vulnerable.

15 Is there any one place you would prefer
16 to spill 100,000 gallons of gasoline versus another
17 place? And the answer is if it's on the recharge zone,
18 no, don't spill it anywhere, you know.

19 Q. Okay. The EA for the 281 expansion toll road
20 project states that there will be a hundred -- 1.5
21 million cubic yards of cut.

22 A. Okay.

23 Q. And so that indicates some amount of gouging
24 into the aquifer.

25 A. Okay.

0081

1 Q. Would you expect that they would encounter --

2 A. I don't think --

3 Q. -- voids in that process?

4 A. Well, let me say I don't think they're going to
5 cut into the aquifer in the sense that they would have
6 to cut a very deep hole, 200 and some feet deep, to
7 actually intersect groundwater. However, they would
8 be -- from your discussion it sounds to me like they
9 would be removing part of the limestone filler --

10 Q. Yeah, not -- this wouldn't be --

11 A. No.

12 Q. -- vertical, but you know --

13 A. They wouldn't be cutting into the aquifer.

14 They're cutting into the --

15 Q. Into the --

16 A. -- Edwards formation.

17 Q. -- into the formation, correct.

18 A. Right. That's what I wanted to clarify.

19 Q. Right, above the water table.

20 A. Yeah, it wouldn't surprise me at all if, you

21 know, there were a number of karst features that were
22 intersected.

23 Q. Okay.

24 A. I'd be surprised if there weren't karst
25 features intersected.

0082

1 Q. And are there techniques to try to -- I mean
2 are there like radar or sounding kind of techniques
3 that you can try to look below the surface and get some
4 handle on what kind of voids might be there?

5 A. Yeah, there are techniques, there are methods.
6 They all have their advantages and disadvantages. You
7 know, it starts with -- you know, I guess it starts with
8 having someone who understands the conceptual flow
9 processes in these karst environments. Basically they,
10 you know, need to know their ass from a hole in the
11 ground, not to be funny. Not everyone does.

12 Q. Right.

13 A. Though there are certainly very many
14 accomplished and very professional people working on
15 these processes and that. So having some experience in
16 looking at these things and digging these things open
17 and looking at what looks like a significant feature and
18 what isn't requires a fair amount of experience. Okay?

19 Then there are -- that's the direct
20 observation issues related to having someone assess the
21 problem. What you really want is not someone who may be
22 a geotech engineer because in many cases those folks
23 don't know their ass from a hole in the ground when it
24 comes to karst. What you really need is someone who has
25 training or background as a karst geologist or karst

0083

1 hydrogeologist because in many cases these features are
2 very subtle.

3 That doesn't mean that there aren't very
4 good geotech engineers out there. Certainly there are
5 many who are very familiar with sinkholes and sinking
6 streams and some of the karst problems. But I've also
7 worked with a number of them across the country and not
8 every one of them is very well versed in it.

9 I don't know who worked on this. I
10 haven't, you know, reviewed their work or anything.

11 Q. Uh-huh.

12 A. There are some geophysical techniques that one
13 can use to try and identify karst features and they have
14 varying degrees of sensitivity or resolution. And
15 again, geophysics -- those geophysical techniques much
16 like dye tracing require someone who's very experienced
17 in performing those and evaluating those studies.
18 Otherwise you're going to miss a lot of data and
19 misinterpret it. So a lot of it comes back to the level
20 of expertise of the individuals doing the work.

21 There are techniques where you can go do
22 borings, and in many cases that's the first tool that
23 most geotech engineers use to look at foundation
24 stability issues. But most really good geotech
25 engineers who have worked in karst a lot also realize

0084

1 that there's a serious eliciting problem with their
2 sampling related to borings, and that is that the --
3 there are always limits on the amount of samples that
4 one can take based on time and based on money and the
5 fact that are the number of borings you have sufficient
6 to represent the variations in the physical features of
7 the property that you're dealing with. Did you take
8 enough soil samples of borings in that? And again, I
9 can't really, you know, offer an opinion on this project
10 because I haven't seen it.

11 Q. Right. You haven't reviewed any of the studies
12 that have been done --

13 A. No, sir.

14 Q. -- on 281?

15 A. No, sir.

16 Q. Okay.

17 A. I don't know who did the studies. I don't know
18 whether they were done internally or externally or if
19 they had a consulting firm doing them, if they've done
20 any geophysics. I would hope that if they did any dye
21 tracing they would have contacted us because there's a
22 potential for interfering -- you know, if you are dye
23 tracing an area, generally you want to contact other
24 folks that might be doing this to minimize the potential
25 for interference with dye tracing.

0085

1 We kind of act as that clearinghouse
2 so I'm not aware of anybody else doing any tracing
3 related to 281.

4 Q. Okay. Well, if they did any, we don't know
5 about it?

6 A. Right. Yeah.

7 Q. Are there pollution concerns that are
8 particular to the construction phase of a large project?

9 A. Yeah.

10 Q. And to put this in context, we're talking about
11 probably four to five hundred acres of construction site
12 over this 7.9 mile length of highway.

13 A. You probably need to clarify that area because
14 that sounds like a very small area for the length of the
15 highway you're dealing with.

16 Q. Well, if you just take, you know, a 400 foot
17 right-of-way --

18 A. Okay.

19 Q. -- by 7 1/2 miles.

20 A. Okay. That comes out to four to five hundred?

21 Q. I came up with -- I don't know -- 500 acres, I
22 think.

23 A. Okay. I'll trust that --

24 Q. Something like that.

25 A. -- number is accurate. I don't know.

0086

1 You know, there are a number of issues
2 related to construction on karst. There are subsidence
3 issues, which this area doesn't seem to have much of.
4 There's sinkhole flooding issues related to, you know,

5 trying to design drainage-ways off of these and we do
6 have a few places around here that do have sinkhole
7 flooding issues. You are also dealing with the
8 transport of sediment off of these sites. My
9 understanding is TCEQ had some pretty stringent sediment
10 control requirements during construction.

11 Of course, one of the most common
12 problems associated with construction is damage to fuel
13 tanks on vehicles where, you know, maybe as much as
14 fifty to a hundred gallons of fuel could leak out of
15 a tank that gets punctured by a rock or by a piece of
16 construction equipment. It leaks out onto the
17 construction area. And those are actually not uncommon
18 to occur.

19 That volume of material probably -- as
20 long as it's mitigated, cleaned up, you know, usually it
21 will spill on the soils or something and those are
22 scraped up and dealt with -- probably are not a huge
23 concern, not like 120,000 gallons of sewage.

24 Q. So with the sedimentation controls that you
25 mentioned, do you have any sense of their effectiveness?

0087

1 A. You know, they seem to work during moderate
2 rain events and small rain events, and of course during
3 large rain events they get washed away. Again it
4 depends on the diligence of the folks who are
5 maintaining them and whether there is sufficient
6 oversight to make sure they're maintained correctly.

7 Q. Do you have any sense of the degree of
8 compliance with TCEQ's Edwards rules?

9 A. I do not.

10 Q. Okay. You-all haven't tried to --

11 A. I have not.

12 Q. -- assess that?

13 A. I have not. I -- no, we have not.

14 Q. Okay.

15 A. Now, I don't know if anyone else in the program
16 has, but I certainly have not.

17 Q. Okay. You're generally familiar with the rapid
18 amount of urbanization taking place in the 281 corridor?

19 A. Yes.

20 Q. Okay. Are you aware of whether there's been
21 any effort by any agency or group to estimate or
22 assess the cumulative effects of pollution from that
23 urbanization?

24 A. What kind of effects would you be -- you know,
25 are you -- I mean ...

0088

1 Q. Well, like predicted pollutant loadings --

2 A. Pollutant loadings?

3 Q. -- if there was full build-out of development
4 that's being mapped out.

5 A. I don't believe that that has been assessed.
6 There's -- we have been running water quality samples,
7 you know, from the aquifer itself over a large area for
8 a number of years now and so we do have a monitoring
9 network where we've looked at a wide range of parameters

10 from individual wells. In those -- some of those wells
11 we do see the effect of human activities.

12 As far as I know, I am not aware of any
13 regional scale studies trying to look at the total
14 loading off of an urban area in relation to the
15 potential impact for groundwater. Now, those kinds of
16 studies, I think, have been done in support of surface
17 water runoff programs and that's through what's called a
18 TMDL program.

19 Q. Uh-huh. And --

20 A. But we have not done any on the Edwards.

21 Q. So there are common techniques that can take
22 development parameters like impervious cover, percent of
23 impervious cover, that then estimate pollutant loadings
24 from that, and you can sort of multiply that by acres
25 and get some estimated increases in loadings for a

0089

1 number of pollutants?

2 A. My understanding is that you can get estimates
3 on that if you use certain programs. For example, there
4 are a couple of surface water runoff programs that also
5 have a water quality characteristic to them. And we
6 have actually developed some of those models for
7 surface water runoff related to the recharge zone or the
8 contributing zone of the Edwards, but we have not
9 initiated the chemical transport or concentration
10 aspects of those programs yet. They're still in the
11 development phases that we received them and we're
12 trying to work with them and test them and make sure
13 that they're calibrated, improved.

14 Q. If there were a cumulative effects analysis
15 done on the sort of projected development in that
16 corridor, would that be useful to the community?

17 A. Yes, I think it would be very interesting.

18 We have discussed here trying to develop
19 a research initiative related to what's called
20 assimilative capacity of the aquifer, what's the ability
21 of the aquifer to basically assimilate contaminants in
22 it. And it's very, very difficult to try and determine
23 that in a karst environment because it's difficult to
24 define what your groundwater sheds are. And our tracer
25 testing is really the first step in what is probably a

0090

1 very long road to try and determine what the capacity of
2 the aquifer is to be able to attenuate contaminants.

3 My impression is is that the data we have
4 already indicates that we have exceeded that capacity
5 because we're now seeing a response in the wells and so
6 certain parameters are no longer able to be attenuated
7 by a dilution by biologic action, by matrix effusion, by
8 all of the other processes that take place in the
9 aquifer. And so what you see is an increase in the
10 concentration of those parameters in groundwater
11 monitored by some wells.

12 Q. And this research, initial research you've
13 done, are you able to tell if that's sort of aquiferwide
14 trends or is it more localized pollution happening in

15 little pieces, conduits, what have you in the aquifer?

16 A. Well, it seems to be associated with urban
17 areas. Our highest water quality areas are more rural
18 areas, especially those areas that are more ranch land
19 versus agricultural. So our concentration increases
20 related to either point -- what I'll call point or
21 non-point sources appear to be in the urban areas, yeah.

22 Q. Okay. And is there any reason to think that
23 won't get worse with more urbanization?

24 A. No.

25 Q. And if you're trying to assess the potential
0091

1 pollution effects from a given -- in a given area, is it
2 important to look at that particular area as opposed to
3 putting it in the context of the entire aquifer?

4 A. I think the answer would be yes.

5 MR. BUNCH: Okay. If we could just take
6 two minutes I think I'm basically done, but I want to
7 look at one thing here.

8 (Break from 2:11 p.m. to 2:16 p.m.)

9 (Exhibits 10 through 13 were marked.)

10 BY MR. BUNCH

11 Q. Mr. Schindel, are you generally aware of the
12 183A highway project over the recharge zone up in
13 Williamson County?

14 A. No.

15 Q. No? Okay.

16 There, because of concerns about
17 endangered cave-dwelling invertebrates, Fish & Wildlife
18 had TxDOT -- required that they have a karst
19 hydrogeologist on site during construction in case they
20 encountered karst features. Do you have any opinion
21 about whether that would be a good technique if this
22 project went forward, to have somebody like that out
23 there?

24 MS. McCLAIN: I'm going to object to that
25 to relevance. We've already had some questions about

0092

1 fixing up roads we questioned the relevance of, but 183
2 I don't believe is in the Edwards Aquifer Authority's
3 jurisdiction so I don't think this witness should
4 respond to that.

5 THE WITNESS: As far as I know, it's not.

6 BY MR. BUNCH

7 Q. You can answer the question, or if you'd like
8 me to restate it.

9 A. Yeah, please. Please do.

10 Q. Okay. In your opinion would it be a good idea
11 if this project goes forward for TxDOT to have a karst
12 specialist out there during construction to watch for --

13 MR. BURKHALTER: Do you mean a --

14 BY MR. BUNCH

15 Q. -- what they encounter?

16 MR. BURKHALTER: Are you talking about a
17 biologist or -- you said a karst -- I think earlier you
18 said a biologist or --

19 MR. BUNCH: No, a hydrogeologist.

20 MR. BURKHALTER: Hydrogeologist. Okay.
21 A. Yeah, someone with expertise and a specialty in
22 karst hydrology would probably help to identify karst
23 features if they were intersected during the
24 construction.

25 BY MR. BUNCH

0093

1 Q. Okay.

2 A. And also to consult on mitigation of those if
3 it's intersected.

4 Q. Right.

5 Okay. Are you generally familiar with
6 whether TCEQ -- their level of resources for enforcing
7 the Edwards rules?

8 A. No, I'm not.

9 Q. Okay. So you don't have any opinion as to
10 whether they're aggressive or --

11 A. No.

12 Q. -- to nonexistent?

13 A. Yeah, I have not worked in that area so I do
14 not know.

15 Q. Okay. Are you familiar with a geologist named
16 Charles, or commonly known as Chock, Woodruff?

17 A. Yes, sir.

18 Q. Okay. You know --

19 A. I've met him.

20 Q. -- Dr. Woodruff?

21 A. Yes.

22 Q. Okay. I'll just represent to you that he did
23 do a study for TxDOT on this 281 project, although for
24 some reason it was not actually cited or referenced in
25 the EA.

0094

1 MS. McCLAIN: I'm going to object to that
2 being testimony about our EA.

3 MR. BUNCH: Okay. Your objection is on
4 the record.

5 MS. McCLAIN: Understood. Thank you.

6 BY MR. BUNCH

7 Q. I want you to read one section here and just
8 tell me if that would be something you might agree or
9 disagree with. I'll just mark it.

10 If you could just read that last
11 paragraph on page 52. This is --

12 MS. McCLAIN: What document is that?

13 MR. BUNCH: For the record, this is
14 Document 1396 in the administrative record.

15 A. (Witness complies.)

16 BY MR. BUNCH

17 Q. Yeah. If you need to read the preceding
18 paragraphs to get context, I don't want to -- it's not a
19 trick question.

20 A. Okay. I'm sorry. What's your question?

21 Q. So I guess my question is: Is that an opinion
22 that you would share or you would have some disagreement
23 with?

24 A. No, I would share that opinion.

25 MS. McCLAIN: Can we know what the
0095
1 opinion was?
2 MR. GILBERT: Yes.
3 MR. BUNCH: It's the last paragraph on
4 page 52.
5 THE WITNESS: Right.
6 MS. McCLAIN: We don't have that in front
7 of us. I'm sorry. Could you summarize for us for the
8 time being?
9 MR. BUNCH: It's addressing potential
10 cumulative impacts.
11 MS. McCLAIN: Okay. And what's the
12 opinion?
13 MR. BUNCH: Well, it's three or four
14 sentences.
15 MS. McCLAIN: It's just difficult to
16 listen --
17 MR. DIEDRICHS: Why don't you just read
18 that in --
19 MS. McCLAIN: If you could just read it,
20 yeah.
21 MR. DIEDRICHS: Yeah, just read that into
22 the record, please.
23 MR. BUNCH: Okay.
24 MS. McCLAIN: Thank you.
25 MR. BUNCH: Well, why don't we just put

0096
1 it into the record as an excerpt. I can do that.
2 Actually, we'll make it even simpler. I
3 don't really feel like reading. This is Administrative
4 Record Page 20267, the final full paragraph.
5 BY MR. BUNCH

6 Q. So just to be clear, you don't see anything
7 there that you would --
8 A. No. I would agree with it.
9 Q. -- disagree with?
10 A. No. I would agree with that. I read the
11 entire page and put it in context. That's why --
12 Q. Okay.
13 A. -- it took so long. I'm sorry.
14 Q. Would you add anything?
15 A. No. I think it's well written and on point.
16 Q. Okay.

17 MS. McCLAIN: I'm not sure whether to
18 object to nonresponsive because I don't want to pin this
19 on you, but we still don't know what it says. So just
20 for the record, at this time we can't really follow the
21 conversation because we don't know what it says.

22 MR. BUNCH: Okay. You're welcome to read
23 it.

24 MS. McCLAIN: Thank you.
25 BY MR. BUNCH

0097
1 Q. Is Dr. Woodruff generally a reputable scientist
2 in this community?
3 A. Yes. I think he's very well-respected. He's

4 written some very good papers on the Edwards Aquifer.
5 I've read some of those. I'm certainly not aware of his
6 entire body of work, but what I've read I thought was
7 very good and I think that he has a very good reputation
8 among hydrogeologists in Texas.

9 Q. Okay. That said, though, he's not really one
10 of -- a dye tracer specialist like yourself.

11 A. I'm not aware --

12 Q. Is that correct?

13 A. I'm not aware of him having done any dye
14 tracing.

15 MR. BUNCH: Okay. All right. Thank you.
16 Pass the witness.

17 EXAMINATION

18 BY MR. DIEDRICHS:

19 Q. Sir, my name is Clayton Diedrichs. I'm with
20 the U.S. Attorney's Office here in San Antonio, and I
21 represent the Federal Highway in this case.

22 I just have some basic questions. Can
23 you tell me what, if anything, you did to prepare for
24 today's deposition?

25 MR. BURKHALTER: You know what, Clayton?

0098

1 I'm sorry, but let me interrupt just to avoid any
2 confusion. You had submitted a --

3 MR. DIEDRICHS: Cross notice.

4 MR. BURKHALTER: -- cross notice and had
5 requested documents as part of that. And just to sort
6 of close the loop on that, we had filed a motion to
7 quash and I believe we've agreed -- you and a partner of
8 mine have agreed that you're no longer pursuing the
9 documents that you requested in that list that you sent
10 along with your cross notice of deposition and we have
11 made some documents available to you for your
12 inspection. And I think we're -- I just want to get on
13 the record that we're good on what you were requesting
14 and what we have provided in response.

15 MR. DIEDRICHS: Right. I'm not
16 requesting anything through the cross notice today.

17 MR. BURKHALTER: Okay.

18 MR. DIEDRICHS: That doesn't mean I won't
19 request them in the future.

20 MR. BURKHALTER: I understand.

21 MR. DIEDRICHS: And I do have some
22 questions with respect to some of the things that I
23 asked. Certainly if you have any objections, you can
24 interpose them.

25 MR. BURKHALTER: Sure.

0099

1 MR. DIEDRICHS: But I won't be requesting
2 any additional documents today.

3 BY MR. DIEDRICHS

4 Q. And I'm sorry for that, but can you tell me
5 what, if anything, you did to prepare for today's
6 deposition?

7 A. I was asked to review my email notices, see
8 what discussions or emails I may have had with folks

9 that -- people that may be associated with this lawsuit.
10 The only two people I know who are involved with those
11 members is a women named Annalisa Peace and then Bill
12 Bunch, and I checked my emails and I do not have any
13 emails related to this project either in my inbox or in
14 deleted nor have I sent any emails.

15 Q. Did you have any other written correspondence?

16 A. None that I could find, no.

17 Q. What about with individuals from Save Our
18 Springs Alliance, Texans Uniting for Reform and Freedom
19 or Aquifer Guardians in Urban Areas?

20 A. To be honest with you, I don't know. People
21 could have emailed me and I don't know who they're
22 associated with.

23 Q. Sure.

24 A. But as far as I know, to the best of my
25 knowledge I haven't really had a discussion related to

0100

1 the 281 toll road with anyone.

2 Q. Okay. What about the 1604?

3 A. 1604?

4 Q. Well, part of the allegation is that the 1604
5 project should also be part of this. Have you had any
6 discussion --

7 A. No.

8 Q. And I understand you don't always know who
9 you're talking to --

10 A. Right.

11 Q. -- or where they come from at least, but at
12 least as far as you're aware?

13 A. I think it's pretty safe to say -- I'll be
14 honest with you, other than reading in the newspaper a
15 few articles that I get, basically clips because we have
16 a clipping service that deals with any article on the
17 Edwards -- they came through and I probably have read
18 those. And again, it's more of a newspaper account
19 rather than any sort of specific reports --

20 Q. Okay.

21 A. -- or emails.

22 Q. Have you received any documents from anybody
23 associated with either of those three groups I just
24 listed?

25 A. I have received a document from Annalisa Peace,

0101

1 but it wasn't related to this project. It was related
2 to another project. And to be honest with you, I didn't
3 open it or read it.

4 Q. Okay. Prior to the deposition did you review
5 any documents at all?

6 A. Oh, yeah. That's all I do.

7 Q. No --

8 A. Are you saying related to this?

9 It's the story of my life. You study
10 geology and you end up shuffling papers.

11 Q. Sure.

12 A. No, I really haven't.

13 Q. Okay. Dr. Veni's name has been mentioned

14 several times, and you've indicated that you have some
15 history with him. You went to the same school or
16 something?

17 A. He was one year behind me in the master's
18 program, correct, and then I've known him professionally
19 since that time period, which was probably '82 or '83.

20 Q. Did you know him while you were in school?

21 A. Yes. He had an office down the hall.

22 Q. And I understand, although I haven't seen your
23 resume, that there is an article on there that both of
24 you had published together?

25 A. Uh-huh. Probably more than one actually, but I
0102

1 couldn't tell you how many without counting.

2 Q. Are you working on anything with him currently?

3 A. I am a member of his board of directors. So
4 I've served on the board of directors for the National
5 Cave and Karst Research Institute for a couple years now
6 and actually served on it before he was the director and
7 was appointed as a director by his predecessor. And so
8 he has been hired by the board since that time period,
9 though I did not really have any involvement with his
10 hiring. That was done by the executive committee.

11 Q. And when you say "his board", you mean the
12 National --

13 A. Well, the National Cave and Karst Research
14 Institute. Technically the board is an advisory board
15 to -- I'm using the acronym for that group called NCKRI.
16 NCKRI technically actually is an institute within
17 National -- excuse me -- within the New Mexico Institute
18 of Technology.

19 Q. And I'm sorry. You mentioned the year that you
20 were appointed to that board. What year was that?

21 A. It's been about two and a half years, I think.
22 To be honest with you, I don't know the exact date.

23 Q. And how long has he been the president of that?

24 A. I want to say a year and a half maybe.

25 Q. Okay.

0103

1 A. A year, year and a half.

2 Q. Do you consider him a friend?

3 A. Yes, and colleague.

4 Q. Certainly.

5 MR. DIEDRICHS: That's all I have, sir.
6 Thank you.

7 EXAMINATION

8 BY MS. McCLAIN:

9 Q. I'm Lisa McClain. We met earlier, and I
10 represent TxDOT in this case. I'm with the Office of
11 the Attorney General and I have some questions similar
12 to the type Clayton asked, just some things to clear up.
13 And I have a few exhibits that I want to go over and a
14 couple that we used earlier as well.

15 The first one is Exhibit 3, Schindel
16 Exhibit 3, and again it's the open records request that
17 was sent this June and the response, which basically
18 says you guys didn't have any documents. Would you

19 necessarily be the point of contact for the types of
20 documents that were sought here; that is, if TxDOT or
21 its consultants were seeking comments from the Edwards
22 Aquifer Authority, would those go to you?

23 A. They would go through our records person first,
24 and then they would disseminate that request out to
25 determine who would have relevant documents.

0104

1 Q. Okay. And in this type of document that's
2 sought here, any correspondence from TxDOT or its
3 consultants about a project, what's the retention policy
4 on something like that? Are you required to keep those?

5 A. You'd have to ask the records person for the
6 answer.

7 Q. Okay. And then the next exhibit I wanted to
8 talk about was Schindel Exhibit 2 and this is the two
9 different pages, one of which is the 2001 email from
10 Judy Friesenhahn to some other folks at TxDOT about
11 meeting with you guys, and then I guess the meeting
12 agenda notes that are written on there.

13 A. Right.

14 Q. So this is dated 2001, and I believe you said
15 you think you attended the meeting since your card is
16 stapled to --

17 A. Yeah.

18 Q. -- that, right?

19 A. I don't recall any details about it. And to be
20 honest with you -- who was the meeting with, Judy
21 Friesenhahn?

22 Q. Well, she's the one who wrote the email and it
23 mentions Robin Tremallo, I guess, John Hoyt and you.

24 A. Other than Barrlynn West I probably couldn't
25 recognize any of these people.

0105

1 Q. But the date on this is May 10th of 2001,
2 correct?

3 A. Correct.

4 Q. So at least as far as we can tell, you guys
5 have known about the project itself since 2001, if not
6 before?

7 A. Uh --

8 Q. The 281 project. Let me be specific.

9 A. Okay.

10 Q. Do you agree with that?

11 A. Yes.

12 Q. Okay. Third, let me ask you: Are you aware
13 of -- I think one of the things you said actually as
14 follow-up to that was that you think there could have
15 been later coordination meetings because Mr. Bunch had
16 pointed out on the agenda that Roman numeral II talks
17 about coordination of information and notification on
18 281. So as far as you know there may have been some
19 additional meetings?

20 A. There may have been. I may or may not have
21 been involved in them. I certainly don't recall.

22 Q. Okay. And so are you aware of a meeting then
23 that was conducted on February 13th of 2006 about the

24 281 project?

25 A. No. I don't think I was there.

0106

1 Q. And let me pass this down to you.

2 MS. McCLAIN: And I apologize. I don't
3 have additional copies.

4 BY MS. McCLAIN:

5 Q. But what this is, it's a coordination list that
6 I will ask you to look at.

7 A. Okay.

8 Q. And just tell me do you recognize that
9 document.

10 A. I do not.

11 Q. Can you just tell me what the document says at
12 the top for the benefit of everyone here?

13 A. "Draft - Confidential Attorney Client
14 Communication".

15 Q. Below that, please.

16 A. "Prepared at the Request of the Office of the
17 Attorney General".

18 Q. Below that. What's the title of the document?
19 Sorry, not the disclaimer at the top.

20 A. All right. "US 281 - Loop 1604 to Borgfeld
21 Road Contacts for Agency Coordination Letters".

22 Q. Okay. And that document's been marked as
23 Exhibit 10 and it's included in the administrative
24 record for this project, and do you see the Edwards
25 Aquifer Authority anywhere on either of those two pages

0107

1 listed --

2 A. I notice --

3 Q. -- as contacts?

4 A. -- that Mr. Robert Potts' name is on this.

5 Q. And who is Robert Potts?

6 A. He was the former general manager of the
7 Edwards Aquifer Authority.

8 Q. Okay. Thank you.

9 And let me ask you: Do you know -- we
10 were talking earlier about the Edwards Aquifer rules,
11 the 213 rules that TCEQ has published. And then
12 you-all, of course, have your own rules. Do you know
13 of any rules that require coordination with TxDOT in
14 highway projects?

15 MR. BURKHALTER: With the EAA?
16 Coordination --

17 MS. McCLAIN: Yes.

18 MR. BURKHALTER: -- with the EAA?

19 MS. McCLAIN: Yes.

20 A. Specifically require that?

21 BY MS. McCLAIN

22 Q. Uh-huh.

23 A. The only document that would come to mind that
24 might be relevant would be the sole source aquifer
25 designation requirement, and then I'm -- I really

0108

1 haven't read that in many years so I'm not exactly sure
2 what it requires.

3 Q. Is that something put together by EPA?

4 A. It's U.S. EPA Source Water Designation Program,
5 and I think it requires that federal moneys -- in the
6 event of the expenditure of federal moneys that the sole
7 source aquifer designation be taken into consideration.

8 Q. Right, be taken into consideration. But as
9 far as you know are there any rules that specifically
10 require TxDOT to involve the EAA in its deliberations
11 when it's preparing environmental documents?

12 A. I'm not aware of any.

13 Q. Okay. Thank you.

14 Okay. Do you know who Leigh Starlin is?

15 A. I think he was the lead singer for the Seldom
16 Seen.

17 Q. Maybe I'll try that again.

18 First of all, it's a woman. Do you know
19 who that is? She worked at this agency.

20 A. Oh, yes. Yes, I do.

21 Q. Do you know if she still works here?

22 A. She does not.

23 Q. What was her job when she was here? Do you
24 know?

25 A. She was working on water quality issues and

0109

1 coordination issues, I think, inspections for WPAP's and
2 that. I think she stayed maybe six months and then
3 left.

4 Q. Okay. And I'm going to pass this document to
5 you as well to see if you recognize this, if you would.
6 It's been marked as Exhibit 11.

7 A. Yeah.

8 Q. And if you'll just let me know if you recognize
9 it and then please identify it for me. And if there's a
10 disclaimer at the top, you can leave that off this time
11 just so you don't have to read that again.

12 A. Okay.

13 Q. I don't think there is but ...

14 A. It's a Texas Department of Transportation
15 US 281 from Loop 1604 to Borgfeld Road Citizens Sign-in
16 Sheet. And I do recognize Leigh Starlin, her name here.
17 I don't know the date on this so I don't know when she
18 was -- well, actually, the date on this is Public
19 Hearing February 6, 2007. I don't believe she was
20 employed at the agency at that time.

21 Q. Okay. Thank you.

22 Let's see. I've got one more document
23 I'm going to pass down to you if you would have a look
24 at it for me. I'll pass it through everybody else, and
25 if you'll just take a look at it and see if you

0110

1 recognize that and then let us know what that is. And
2 there is no disclaimer on the top of that one.

3 A. Okay. Okay. It's a record of conversation.

4 Q. And who is that between and what's the date,
5 please?

6 A. Kemble White to Mr. John Hoyt of EAA Resource
7 Protection Department, a phone number, Project

8 Name/Number: 281 and 1604 groundwater tech reports.
9 Q. Okay. And can you just give us a quick summary
10 of what that paragraph says, if you've had a minute to
11 read the note of that phone call?
12 A. I'm sorry. Do you want me to read this?
13 Q. I'd like for you -- you can read it to
14 yourself.
15 A. Okay.
16 Q. And then just tell us generally what it says,
17 if you would.
18 A. (Complying) All right.
19 Okay. This -- and you want me to
20 basically paraphrase this?
21 Q. Well, if you'd like. Or what does it -- what
22 do you see when you read that?
23 A. It looks like a record of conversation between
24 Kemble White and Mr. John Hoyt related to known karst
25 features and other water quality issues related to the
0111
1 area around 281 and 1604.
2 Q. And do you know Kemble White?
3 A. I have met him, yes.
4 Q. And what does he do?
5 A. I believe he's a consultant. Last I knew I
6 think he works for SWCA.
7 Q. Okay. And is he a karst specialist, or do you
8 know what kind of work he does specifically?
9 A. My understanding is he's mostly related to
10 endangered species issues.
11 Q. Okay.
12 A. I don't know his -- you know, what his
13 qualifications are specifically related to --
14 Q. Sure.
15 A. -- this.
16 Q. And what does Mr. Hoyt do again? I'm sorry. I
17 know we talked about him earlier.
18 A. Mr. Hoyt's my supervisor. He's the deputy
19 general manager with the agency --
20 Q. Okay.
21 A. -- and he is in charge of the aquifer
22 management program.
23 Q. Okay. So as far as that email -- or, pardon
24 me, that note of conversation reveals, it's just a
25 conversation between a couple of professionals about
0112
1 various water quality issues in the 281 project area.
2 Is that correct?
3 A. Correct.
4 Q. Okay. Thanks.
5 Let's just talk very briefly about the
6 Environmental Assessment review. I think you had said
7 that that's something that your general manager would
8 decide, whether to comment or not on an environmental
9 assessment if requested to do so by TxDOT or any other
10 agency?
11 A. Correct.
12 Q. Okay. And how many EA's or, excuse me,

13 Environmental Assessments do you think the Edwards
14 Aquifer Authority's reviewed in the past, say, three
15 years?

16 A. I don't know that.

17 Q. But you said it's not uncommon. Is that right?

18 A. Well, it's not uncommon for us to be requested
19 to review documents and/or provide input or something to
20 a particular process.

21 Q. Okay.

22 A. So we cooperate on many different levels with
23 other agencies.

24 Q. And you mentioned that you've got interlocal
25 agreements with certain agencies. Is that right?

0113

1 A. Where we have specific projects, ongoing
2 projects.

3 Q. Do you have any with TxDOT?

4 A. I do not know that.

5 Q. And is tracer testing something you've done
6 before for TxDOT? I know that was a question that was
7 posed.

8 A. As far as I know, we -- let me think -- as far
9 as I know, I have not done any tracer testing with
10 TxDOT.

11 Q. Okay. And just to --

12 A. Never been asked.

13 Q. Okay. Thanks.

14 And to be clear then, you said you have
15 not read the U.S. 281 Environmental Assessment in this
16 case?

17 A. No, I have not.

18 Q. And you have not read any of the technical
19 reports. Is that correct?

20 A. I have not.

21 MS. McCLAIN: Okay. That's all I have.
22 Thank you.

23 I'll pass the witness.

24 MR. BURKHALTER: Lisa, you have -- excuse
25 me for interrupting, but we went from Exhibit 11 to

0114

1 Exhibit 14.

2 MS. McCLAIN: I pulled a couple.

3 MR. BURKHALTER: Okay.

4 MS. McCLAIN: I pulled a couple out that
5 I meant to skip so I'm sorry for the confusion.

6 You can straighten that up.

7 Thank you.

8 MR. BURKHALTER: Sure.

9 MS. WINLAND: I'll be quick.

10

EXAMINATION

11 BY MS. WINLAND:

12 Q. I'm Lori Winland. I'm an attorney with Locke,
13 Lord Bissell & Liddell. We represent the Alamo Regional
14 Mobility Authority, one of the other defendants in this
15 case.

16 Are you familiar with the members of the
17 Edwards Aquifer Authority's board of directors?

18 A. Yes, I've met them all.

19 Q. Okay. So -- and are Enrique Valdivia and
20 George Rice both on the board?

21 A. Yes, they are.

22 Q. Are you aware that those two gentlemen serve as
23 officers of Aquifer Guardians in Urban Areas?

24 A. I did not know that.

25 Q. Okay.

0115

1 MS. WINLAND: That's all I have.

2 MR. BUNCH: One more question.

3 FURTHER EXAMINATION

4 BY MR. BUNCH:

5 Q. From a concern about pollution, would that
6 extend to the contributing zone up into Comal County as
7 well?

8 A. Yes, it would.

9 Q. Can you just sort of explain why?

10 A. The contributing zone is called the
11 contributing zone because it contributes water to the
12 Edwards. Generally the thought is that water collected
13 out on the contributing zone where there are -- units
14 are less permeable than the Edwards basically are
15 collected through surface water processes, basically
16 water flows into the streams and as they flow downstream
17 towards the Gulf of Mexico they cross over the Edwards
18 limestone, the recharge area, and many of those streams
19 lose some or all of their water into the Edwards.

20 In addition, our tracer testing -- let me
21 back up and say the conventional wisdom was that these
22 units do not communicate. The Trinity Aquifer, which is
23 composed of upper, lower Glen Rose formations and some
24 other formations lower that aren't really important to
25 this conversation, those units do not actively

0116

1 communicate water between those units and the Edwards
2 limestone. Our tracer testing indicates that that's
3 incorrect in northern Bexar County, and we do have
4 examples where we have traced -- used tracers to trace
5 from the upper Glen Rose limestone directly into the
6 Edwards limestone. It's called interformational flow.
7 And we've documented that three or four times now.

8 MR. BUNCH: Okay. Thank you.

9 MR. DIEDRICHS: I have one follow-up
10 question.

11 FURTHER EXAMINATION

12 BY MR. DIEDRICHS:

13 Q. Are you aware of anybody here at the EAA who is
14 either a member or associated with any of the plaintiffs
15 here, S.O.S., Aquifer Guardians or TURF?

16 A. No, I don't know of anybody.

17 Q. Okay.

18 A. I know that I, you know -- go ahead. I'm
19 sorry.

20 I -- you know, some of those groups have
21 a very broad email list and they send out notices about
22 some item or something going on and that, but you

23 know -- so I seem to be on one of those lists anyway. I
24 generally -- I don't think I've ever attended any of
25 their meetings personally. I don't know of anybody else

0117

1 who has.

2 Q. Or who is a member of those groups at all?

3 A. Yeah. But, you know, for that matter, we could
4 have members of the Ku Klux Klan here, I wouldn't know
5 that.

6 Q. Just asking what you know.

7 A. I don't ask.

8 MR. DIEDRICHS: All right. Thank you.

9 MR. BUNCH: I think we're done. Thank

10 you very much, Mr. Schindel.

11 (Deposition concluded at 2:48 p.m.)

12

13

14

15

16

17

18

19

20

21

22

23

24

25

0118

1 CHANGES AND SIGNATURE

2 PAGE LINE CHANGE REASON

PAGE	LINE	CHANGE	REASON
3			
4			
5			
6			
7			
8			
9			
10			
11			
12			
13			
14			
15			
16			
17			
18			
19			
20			
21			

22 I, GEARY M. SCHINDEL, have read the foregoing Oral
23 Deposition and hereby affix my signature that same is
24 true and correct, except as noted above.

24

25

GEARY M. SCHINDEL

0119

1 STATE OF TEXAS)
2 COUNTY OF _____)

3 Before me, _____, on this day
4 personally appeared GEARY M. SCHINDEL, known to me (or
5 proved to me under oath or through _____) to
6 be the person whose name is subscribed to the foregoing
7 instrument and acknowledged to me that they executed the
8 same for the purposes and consideration therein
9 expressed.

10

11 Given under my hand and seal of office this _____
12 day of _____, 2008.

13

14

Notary Public in and
for the State of _____

15

16

17

18

19

20

21

22

23

24

25

0120

1 STATE OF TEXAS)
2 COUNTY OF BEXAR)

3 REPORTER'S CERTIFICATE

4 I, MICHELE W. KUHLMANN, a Certified Shorthand
5 Reporter duly licensed and qualified in and for the
6 State of Texas, do hereby certify that pursuant to
7 notice duly issued there came before me on the 7th day
8 of August, 2008, from 11:03 a.m. to 2:48 p.m., at the
9 Edwards Aquifer Authority, Inc., 1615 N. St. Mary's
10 Street, San Antonio, Texas, the following named person,
11 to wit: GEARY M. SCHINDEL, who was by me duly sworn to
12 testify the truth and nothing but the truth of his
13 knowledge touching and concerning the matters in
14 controversy in this cause; and that he was thereupon
15 examined upon his oath and his examination reduced to
16 writing under my supervision; that the deposition is a
17 true record of the testimony given by the witness, same
18 to be sworn and subscribed by said witness before any
19 Notary Public, pursuant to the agreement of the parties;

20 That the amount of time used by each party at the
21 deposition is as follows:

- 22 MR. WILLIAM G. BUNCH - 2 hours, 15 minutes
- MR. CLAYTON R. DIEDRICHS - 0 hours, 20 minutes
- 23 MS. LISA McCLAIN - 0 hours, 12 minutes
- MS. LORI FIXLEY WINLAND - 0 hours, 1 minute

24

25 I further certify that I am neither attorney nor

0121

1 counsel for, related to, nor employed by any of the

2 parties to the action in which this testimony was taken,
3 and further that I am not financially or otherwise
4 interested in the outcome of the action.

5 SUBSCRIBED AND SWORN to on this the _____ day of
6 _____, 2008.

7
8
9
10 _____
MICHELE W. KUHLMANN, CSR
11 CSR No. 2414, Exp. 12/31/09
Federal Court Reporters of
San Antonio, Inc.
12 CRCB Firm Registration No. 79
Airport Center
13 10100 Reunion Place, Suite 660
San Antonio, Texas 78216
(210) 340-6464
14
15
16
17
18
19
20
21
22
23
24
25